

IN THE MATTER OF AN ARBITRATION BEFORE A TRIBUNAL
 CONSTITUTED
 IN ACCORDANCE WITH THE TREATY BETWEEN THE U.S.A. AND THE
 REPUBLIC OF ECUADOR CONCERNING THE ENCOURAGEMENT AND
 RECIPROCAL PROTECTION OF INVESTMENT, SIGNED AUGUST 27, 1993
 (THE "TREATY")

and

THE UNCITRAL ARBITRATION RULES 1976

- - - - -X
 In the Matter of Arbitration :
 Between: :
 :
 CHEVRON CORPORATION (U.S.A.), :
 TEXACO PETROLEUM COMPANY (U.S.A.), :
 :
 Claimants, : PCA Case No.
 : 2009-23
 and :
 :
 THE REPUBLIC OF ECUADOR, :
 :
 Respondent. :
 - - - - -X

TRACK 2 HEARING SHUSHUFINDI-34 SITE VISIT

Sunday, June 7, 2015

Coca (Francisco de Orellana)
 Republic of Ecuador

The Shushufindi-34 Site Visit convened at 10:10
 a.m. before:

- MR. V.V. VEEDER, Q.C., President
- DR. HORACIO GRIGERA NAÓN, Arbitrator
- PROFESSOR VAUGHAN LOWE, Q.C., Arbitrator

Additional Secretary:

MS. JESSICA WELLS

Registry, Permanent Court of Arbitration:

MR. MARTIN DOE, Registrar
Secretary of the Tribunal

Court Reporter:

MR. DAVID A. KASDAN
Registered Diplomat Reporter (RDR)
Certified Realtime Reporter (CRR)
Worldwide Reporting, LLP
529 14th Street, S.E.
Washington, D.C. 20003
United States of America
(202) 544-1903
info@wwreporting.com

APPEARANCES: (Continued)

On behalf of the Respondent:

DR. DIEGO GARCÍA CARRIÓN,
Attorney General
DRA. BLANCA GÓMEZ de la TORRE
Counsel, Attorney General's Office
DR. LUIS FELIPE AGUILAR
DRA. DANIELA PALACIOS
Procuraduría General del Estado
Robles 731 y Av. Amazonas
Quito, Ecuador

MR. ERIC W. BLOOM
MR. GREGORY L. EWING
MS. CHRISTINE M. WARING
MS. NICOLE Y. SILVER
Winston & Strawn, LLP
1700 K Street, N.W.
Washington, D.C. 20006
United States of America

MR. JOSÉ MANUEL GARCÍA REPRESA
Dechert LLP
32 rue Monceau
75008 Paris, France

Respondent's Site Visit Participants potentially providing testimony:

DR. EDWARD A. GARVEY (LBG)

Respondent's Site Visit Participants not providing testimony:

MR. SHANE McDONALD (LBG)

DR. HARLEE STRAUSS

APPEARANCES:

On behalf of the Claimants:

MR. R. DOAK BISHOP
MS. TRACIE RENFROE
MS. CAROL WOOD
MS. JAMIE M. MILLER
King & Spalding, LLP
1100 Louisiana Street, Suite 4000
Houston, Texas 77002
United States of America

Claimants' Site Visit Participants potentially providing testimony:

MR. JOHN CONNOR (GSI)

DR. THOMAS E. McHUGH (GSI)

Claimants' Site Visit Participants not providing testimony:

DR. GINO BIANCHI

MR. ERNIE BACA (GSI)

MS. DANIELLE KINGHAM (GSI)

MR. WILLIAN CHAVEZ (GSI)

C O N T E N T S

PAGE

FIRST ROUND OF ORAL PRESENTATIONS

ON BEHALF OF THE RESPONDENT:

By Attorney General García Carrión 6

By Mr. Ewing 9

By Dr. Garvey 18

ON BEHALF OF THE CLAIMANTS:

By Mr. Bishop 37

By Ms. Renfroe 40

By Mr. Connor 48

By Dr. McHugh 65

By Ms. Renfroe 73

SECOND ROUND OF ORAL PRESENTATIONS:

ON BEHALF OF THE RESPONDENT:

By Mr. Ewing 78

By Dr. Garvey 85

By Mr. Ewing 91

PROCEEDINGS

PRESIDENT VEEDER: Everybody ready?

Well, today is the first active day of the Site Visit. It's the 7th of June, and we're at Shushufindi-34, in accordance with the Tribunal's Order.

We give the floor to the Respondent.

OPENING STATEMENT BY COUNSEL FOR RESPONDENT

MR. EWING: Thank you, Mr. President and Members of the Tribunal. Welcome to Shushufindi-34 for our first day of the Site Visit.

Just to give you a quick overview, I will introduce Dr. Garcia, who will give a short introduction, and then Dr. Garvey and I will proceed with the rest of the more substantive aspects of the Site Visit.

So, without further ado, Dr. Garcia.

ATTORNEY GENERAL GARCIA CARRION: Good morning, Members of the Tribunal, Mr. Doe, Miss Wells, opposing counsel and support staff. I would like to give you a personal welcome to the Amazon and thank you for all the efforts deployed in organizing this Site Visit and traveling from so far to do so.

As you know, this Site Visit is a critical part of the arbitration and, for Ecuador, an essential element of our case. Chevron and Texaco have argued that the entirety of the Lago Agrio Litigation has been a fraud and that the

10:16 1 arguments to the contrary. Dispersion is evident. You 2 only have to look at the topography of the sites that will 3 be visited and the effect of heavy rain in these sites.

4 Fourth, the Republic will show why the problems at 5 each of these sites have become common and constant at many 6 of the sites where TexPet operated during the Concession 7 Area.

8 Finally, we will show you how Chevron's theories, 9 including, specifically, its theory regarding the fact that 10 oil at these sites does not pose any risks to health of the 11 persons who were exposed to it, is simply false in the 12 light of the evidence. Ecuador will demonstrate how the 13 residents, even to date, continue to be exposed to oil and 14 how, without adequate remediation, will continue to face 15 serious health risks.

16 You will remember that the Parties' Environmental 17 Experts filed a large amount of evidence in their Reports 18 and at the Hearing. I am conscious of the fact that many 19 of the technical aspects of the evidence that was filed are 20 difficult to understand and be placed in the correct 21 context. I hope that this visit contributes for a better 22 understanding of the experts' testimony. You will see 23 firsthand, for example, how TexPet's oil continues to 24 migrate and pollute lands and rivers and how the residents 25 continue to be exposed to it.

10:13 1 findings of the Judgment can only be explained through an 2 unlawful and inappropriate actions. Nonetheless, the 3 Plaintiffs' position regarding the contamination and their 4 repeated rejection of their liability for pollution left 5 and hid are proven false by the evidence that Ecuador's 6 experts will explain to you in the next days.

We understand that the purpose of your visit is to 8 see directly the pollution left and hid by TexPet.

9 Although you won't be able to visit the vast majority of 10 the affected sites, I hope that the four sites you will be 11 visiting helps you in this important decision-making 12 process.

13 Ecuador's experts and counsel will show you five 14 main points:

15 First, that contamination still remains in 16 Ecuador's sensitive ecosystem.

17 Second, that this contamination can be traced to 18 TexPet. At each of these sites, the Republic's experts 19 will identify the source of contamination, the migration of 20 the contamination, and how it was caused by TexPet.

21 Third, Ecuador will demonstrate how, at each site, 22 oil continues to migrate and seep into the pits that TexPet 23 excavated and how, even to date, it reaches the streams and 24 affects undergrounder water. In other words, the oil in 25 these pits is not immobile, notwithstanding the Plaintiffs'

10:19 1 I am sure that, in the light of this overwhelming 2 evidence, Chevron will attempt to disclaim liability, 3 arguing that Petroecuador is responsible for the 4 contamination or that these sites are not part of TexPet's 5 liability under the Remedial Action Plan (RAP).

6 Nonetheless, the persons who reside close to these sites 7 neither participated in the development nor the execution 8 of the RAP. And this Tribunal has previously concluded 9 that the Lago Agrio Plaintiffs rightfully sought for 10 compensation of the Claimants for the harm caused to their 11 individual rights. Nothing could be more individualized or 12 personal than the protection of their health and their 13 families' health.

14 Lastly, I would like to remind you that the Lago 15 Agrio Plaintiffs or the persons that you will see in the 16 next few days who live close to these sites are not parties 17 to this arbitration; but these residents are the true 18 victims of the Claimants' bad practices and their corporate 19 acts. Thus, any decision that this Tribunal takes shall 20 fundamentally affect them and the future of the Oriente and 21 the Amazon River's basin.

22 The Republic of Ecuador considers this visit to be 23 of great value to the Tribunal and, for that, has insisted 24 on it. Now you will be able to see firsthand the 25 contamination and so conclude that the Judgment is

10:22 1 reasonable and juridically possible.
 2 Thus, I give the floor to our counsel.
 3 MR. EWING: Again, I'd like to welcome you to
 4 Shushufindi-34, and what we're going to be doing while
 5 we're here today is I will be presenting, along with
 6 Dr. Garvey. We will be giving the affirmative
 7 presentation.
 8 We also have Dr. Strauss, who is here. She is not
 9 planning to provide an affirmative presentation, but if you
 10 have questions for her, she is available.
 11 At each of the sites, I want to give you an
 12 overview of where we are and then walk through quickly why
 13 we selected the site, and then we will talk about some of
 14 the history, and then Dr. Garvey will discuss the results
 15 and what those impacts are on the environment and the
 16 people. So, it's sort of a general overview of what we're
 17 going to be doing at each of the four sites.
 18 So, with that, we have our laminated map here.
 19 This morning we started in Coca, which is the pink
 20 dot down at the bottom. We came up this main road through
 21 Sacha, which is the part of the first main city or the main
 22 city we saw on our way north. We took a right through
 23 Shushufindi, and then we took another right and then
 24 entered a dirt road and came out here to Shushufindi-34.
 25 And I would be remiss--I'm confident that this was the

10:25 1 little bit to your right where the yellow tape is is where
 2 the wellhead is. And, as we walk to the next site, you'll
 3 be able to get up and see that a little bit--or next
 4 location, you'll be able to see that a little better.
 5 So, the wellhead is in the middle of this cleared
 6 area, and this is the former platform. We have, straight
 7 in front of you which is marked with the yellow flags, one
 8 of the main pits at this site, and then to your right
 9 there's another pit. And then to your left is the pit that
 10 we drove past. And then we'll talk about another one that
 11 may be here as well. But those three pits are typical for
 12 a well site.
 13 And let me explain sort of how the drilling
 14 process worked to see why these pits are--why this site is
 15 so typical.
 16 When TexPet came and drilled oil, they set up
 17 their oil rig here where the hole in the ground is, and to
 18 get to the oil it's approximately 3,000 meters deep, so
 19 nine to 10,000 feet, is where the oil-producing layers are
 20 in this area. To drill down that far, there's a
 21 significant amount of rock and dirt that came out of the
 22 hole, and they had to have someplace to put that. Those
 23 are called cuttings pits or reserve pits. And this large
 24 pit over here to the side probably started off as a
 25 cuttings and reserve pit, so the debris would placed

10:24 1 fastest we had ever done this route before, by far. So, it
 2 usually takes us quite a bit longer, but we had a lot of
 3 help today. So, that's generally where we are in the
 4 Concession.
 5 This is the north end of the Concession, so we're
 6 sort of north central right now in the Shushufindi
 7 oilfield. We will be going to Aguarico-6 tomorrow, which
 8 is north of here, and Shushufindi-55, which is also north
 9 of here. And then the next day we'll be going to Lago
 10 Agrio 2, which is in the very north of the Concession, so
 11 to give you a bit of the lay of the land.
 12 So, the next thing I'd like to start with is why
 13 are we here. Why are we at this site in particular? And
 14 one of the first and primary reasons that we're here is the
 15 simplicity of this site. Hopefully, it will seem that way
 16 soon for you as well, but this is a site that has--it was a
 17 TexPet-only site, so TexPet is the only company--only
 18 Operator--who has ever extracted oil from this location.
 19 So we know that any oil that we find here is related to
 20 TexPet's operations. And the corollary of that is,
 21 obviously, Petroecuador has never extracted oil from this
 22 location.
 23 Another aspect of this simplicity is that this
 24 site is very typical of many that are in the Oriente. So,
 25 as you sit here, you can see sort of in front of you, a

10:27 1 immediately to the side of the well.
 2 When you're drilling a well, to get the debris to
 3 come out, you have to force drilling mud, which is a sort
 4 of a thick mud that, as you push it down, it pushes the
 5 rocks and the debris out, and to make drilling mud, you
 6 need a significant amount of water.
 7 Christine, if we could have the--we can put that
 8 right on here.
 9 This is a map of the site, and I want to direct
 10 your attention to this area here, which is sort of marked
 11 where the various pits are. This gray area, the lighter
 12 gray area, is the platform. The middle is where the well
 13 is--
 14 ARBITRATOR GRIGERA NAÓN: Maybe if everyone can
 15 see from all corners. All right, thank you.
 16 MR. EWING: So, this pit here, the large pit, is
 17 the pit that you see in front of you. This over here, it's
 18 a little ambiguous where the location of this pit is.
 19 Chevron has marked it with the checkered black-and-white
 20 flags. That may or may not be right. We have it a little
 21 over to the side. And then the pit that we will be
 22 spending most of our time with is up here. We just passed
 23 it on the road.
 24 So, this is the pit where, as they dug the well,
 25 they would fill this pit with the rocks and debris.

10:28 1 We need the next one.
 2 After the well was drilled and they reached the
 3 oil layers, this pit and these reserve pits would often end
 4 up filled with oil.
 5 This is an aerial image of this site in 1975, and
 6 this you will see is at Tab 1 of Respondent's Packet; and,
 7 just to make sure the record clear, the first image I
 8 showed you is Tab 13, but this is Tab 1, and we can use
 9 this for now.
 10 So, this pit here, the dark area to the side, is
 11 what you see in front of you in yellow, and this looks to
 12 be filled with oil at this point. This is where they would
 13 have dumped the debris and it looks to have also then been
 14 covered with oil. This is from 1975. This well was
 15 originally drilled in 1973, so this is relatively shortly
 16 after the well was drilled.
 17 The pit that we will be heading to is north here,
 18 and there is potentially another pit over here.
 19 Interpretation of aerial images can be a little difficult,
 20 but in some of the other images you can see a pit location
 21 over here.
 22 And one of the things I wanted to mention about
 23 this site in its simplicity is the fact that this is
 24 relatively obviously a pit in other aerial images, but if
 25 you--when you stand up and look behind where the bathrooms

10:29 1 are, you'll see it's pretty thick jungle. And last week we
 2 have walked back in here and we haven't been able to
 3 necessarily identify where this pit was, even though it
 4 shows up in aerial images. And it's difficult to get
 5 through the jungle. It's a thick jungle. It gets covered
 6 over, so it's a difficult process of finding pits.
 7 In terms of where these pits currently stand in
 8 the status of their cleanup, this pit here was included in
 9 the RAP but was listed as "NFA." "NFA" is No Further
 10 Action. It was deemed "No Further Action" because it had
 11 water in it.
 12 There is also this other pit was not included in
 13 the RAP that was to the south of us. It was remediated by
 14 Petroecuador in approximately 2007. And this pit was
 15 unknown and was not also remediated in the RAP or included
 16 in the RAP. No one knew about it. We thought no one knew
 17 about it until we found it in 2014, and this is then again
 18 where we will be spending most of our time today.
 19 This well did not produce oil to an economical
 20 level for long. It was only open until 1983, so TexPet
 21 closed this well in 1983. The technical term is they "shut
 22 it in." And that means, as Mr. Connor said during the
 23 Hearing, you may remember, it's a temporary plug that's
 24 placed in the well that separates out the oil-producing
 25 layers from the ground, but it's removable so that you

10:31 1 could reuse the well, if need be. But no oil has been
 2 produced here since 1983.
 3 And TexPet has not conducted any oil-production
 4 activities at this site, either. There have been no PIs,
 5 Pre-Inspections, as a part of the Lago Agrio Litigation.
 6 There are no Judicial Inspections at this site, and there
 7 have been no Petroecuador spills at this site. There have
 8 been no Petroecuador workovers at this site. This is very
 9 clearly a TexPet-only operation, which again is one of the
 10 main reasons we wanted to bring you here.
 11 So, with a bit of background, I'd like to take you
 12 over to the second location, where Dr. Garvey will explain
 13 more of what LBG has found in this scene, but I did
 14 actually forget to mention, please at any point if you have
 15 questions, please do stop me, stop Dr. Garvey. This will
 16 be much more helpful, I think, if it's interactive, so
 17 please do.
 18 PRESIDENT VEEDER: Could I stop you straightaway.
 19 MR. EWING: Please.
 20 PRESIDENT VEEDER: You mentioned in your summary
 21 Pits 1, 2, 3. Just go over which ones are the numbered
 22 ones.
 23 MR. EWING: So, this is typically considered Pit
 24 Number 1, the large pit in front of us. This pit down to
 25 the south with the checker marks is most often considered

10:32 1 2, so this is 2.
 2 Here. This might be easier to use.
 3 This is 1, 2, and then 3. 3 is to the north, and
 4 3 is the undocumented pit that we will be focusing on.
 5 Anything else?
 6 Excellent. We can stop here and we'll walk over.
 7 PRESIDENT VEEDER: Okay.
 8 (Pause.)
 9 MR. EWING: Okay. We are now at Pit 3. This is
 10 the undocumented pit that LBG--we thought we had found in
 11 2004; we did find in 2014. It turns out that Cabrera had
 12 also found that. We realized that later. I found this pit
 13 through aerial imagery, review of aerial imagery, but at
 14 the time we didn't realize that, so we were not actually
 15 the first to discover it--or rediscover it.
 16 But again, this pit was dug in 1975. Before 1975,
 17 you can see on the aerial image. And, by 1985, it was
 18 covered over by the jungle that was here before, and some
 19 time after that, this area was cleared by the farmer so
 20 that he could plant the crops that you see around us.
 21 When the jungle comes over a pit, it ends up sort
 22 of looking a lot like this because what happens is the
 23 leaves, the sticks, the branches, insects, everything else
 24 falls on top of the oil that's on a pit and slowly forms a
 25 later of leaf litter which eventually becomes soil that's

10:39 1 enough to support plants. It's also likely that where
 2 we're sitting or where you're sitting now is one of the
 3 walls of the pit that's sort of slouched or sloughed down,
 4 so that's how these pits eventually get covered.
 5 What we are going to show you, though, is what we
 6 have found here, so I'd like to turn the floor over to
 7 Dr. Garvey to explain a little bit about what we're seeing.
 8 Dr. Garvey.
 9 DR. GARVEY: Good morning.
 10 So, in discussing this particular area we
 11 investigated--this is not going to work with the headset
 12 on, and you'll appreciate my problem I'm sure--our goal was
 13 to investigate a limited area of the Oriente, given the
 14 schedule of Tribunal, and it was set out before us. We had
 15 a limited area, a limited time and, to some extent, limited
 16 resources that we could apply to investigating the Oriente.
 17 So, we investigated the Oriente with the intention of
 18 studying limited areas, not to try to be--what's called a
 19 "Remedial Investigation," where we might delineate the
 20 extent of contamination both horizontally and vertically.
 21 This was clearly a task that was beyond us given the
 22 schedule. But that's not to say that we couldn't conclude
 23 and define some very useful information even within the
 24 limited time that we had.
 25 The problem here is, of course, if you would,

10:42 1 groundwater will change with time. We're actually going to
 2 show you an illustration of that. We'll talk about how
 3 that might change.
 4 So, anyway, so as a result of the limited time but
 5 recognizing that we needed to understand something about
 6 the Oriente, rather than try to do a delineation, if you
 7 would, we decided to test several of the hypotheses put
 8 forward by Chevron in their assertions regarding their
 9 responsibilities here, and I'll list them here. But we
 10 tested basically--we attempted to test in our investigation
 11 not just here, but in all of the Oriente that we
 12 investigated these five points.
 13 The oil spilled or were present in the pits of the
 14 Oriente that were attributable to TexPet would become
 15 asphalt-like because of its age. Because so much time had
 16 passed, any oil that remained as a result of TexPet
 17 operations was now basically solidified, okay, and not
 18 available for transport, no longer mobile, really not
 19 posing any kind of health or ecological risk.
 20 Okay. Therefore, if we found liquid oil in the
 21 Oriente, it could not be attributable to TexPet because
 22 their oil would have solidified. Okay. So, if we're
 23 finding liquid oil in the Oriente, that's clearly got to be
 24 Petroecuador's oil, not TexPet's oil.
 25 A third point was that places where TexPet had

10:41 1 four-dimensional, three-dimensional in space, plus things
 2 vary over time. To understand how things are changing over
 3 time plus how things vary in a three-dimensional sense
 4 would require quite a bit of study.
 5 To make the dartboard analogy, we had the
 6 opportunity to throw a few darts, if you would, 10, 20
 7 darts, so to speak, not the hundreds or thousands of darts
 8 it would have taken to investigate even this small area
 9 here. If we wanted to delineate exactly where the
 10 contamination ended or began vertically or horizontally, we
 11 would have to do many hundreds of samples, if not
 12 thousands.
 13 It would require probably several years as well to
 14 understand an area like this really in detail. Why?
 15 Because things change with time. Amount of rainfall:
 16 There is a dry season and a wet season. Some years are
 17 wetter than others. It causes changes in run-off, changes
 18 in the level of water within the ground. These things all
 19 will impact how the oil spreads, how the contamination may
 20 migrate with time.
 21 So, anyway, and very typically with moderate
 22 groundwater, for instance, on a quarterly basis because
 23 it's subject to seasonal changes, and so, as a result of
 24 the amount of water that falls on the ground, the amount of
 25 water that percolates into the soil, concentrations in

10:43 1 disposed of oil in the pits that you've seen around you,
 2 that the soils of the Oriente were sufficiently clayey,
 3 high in clay content, that they would prevent the migration
 4 of this material outside of the pits into the surrounding
 5 environment.
 6 Fourth, that the oil, because these pits were
 7 contained by this clayey soil, that the oil was largely
 8 confined to these pit areas and, therefore, oil has not
 9 spread. TexPet oil now has not spread significantly beyond
 10 the perimeters of the pits.
 11 So, in choosing this pit, it provides us with the
 12 opportunity to test several of these hypotheses.
 13 Specifically this pit was documented to exist long before
 14 any remediation occurred over there. So this pit was
 15 documented to occur in the 1970s, to be basically grown
 16 over by the jungle in the mid- to late Eighties, and so
 17 it's largely free of any post-1990 operations, okay?
 18 There's nothing in this particular pit area we think is
 19 attributable to Petroecuador.
 20 Additionally, any kind of remedial activity that
 21 may have taken place to the other pits to the south, I
 22 guess, and west--south and east of us would not have
 23 influenced this area--well, it doesn't really make sense.
 24 You can see we're relatively far from those pits. You
 25 wouldn't go to through the effort of taking material out of

10:44 1 those pits and disposing of it here. Okay. Plus there's
2 no evidence to suggest that's happened. This pit seems to
3 be physically, in terms of its dimensions, largely intact
4 here. We don't see evidence of a large amount of
5 construction equipment and the like in the area. Okay.

6 So, this pit then represents oil or represents a
7 condition created by TexPet, operated by TexPet and no one
8 else. So, whatever conditions we find here we can
9 attribute solely to TexPet. So, that's a really unique
10 kind of condition, if you would, relative to other sites
11 where they've had--where both entities have operated.
12 Okay.

13 So, we begin with this undisclosed pit. What does
14 this pit show us? Well, first and foremost, we can find
15 liquid oil here.

16 Shane McDonald, my associate from Louis Berger, if
17 you wouldn't mind taking a sample of oil from the surface
18 of this pit here and providing a sample to the Tribunal.

19 If you would, you can see in front of you there
20 the sample of soil that we brought up. You can see that
21 the soil is saturated with oil. It is not asphalt-like.
22 It is oil. It's liquid. And, in fact, if we take a
23 reading with our PID instrument--and I'll talk about what
24 that means in a minute.

25 Now, this instrument, we call it a "PID." It's a

10:46 1 photoionization detector. What does it measure? It
2 measures hydrocarbons, relatively short-length hydrocarbons
3 that are volatile. For the oil to emit short-length,
4 small, volatile hydrocarbons, it has to be relatively
5 fresh. Okay.

6 MR. McDONALD: SURE, that was 138 was the high
7 one, and I'm going from zero, which I zeroed this morning;
8 I calibrated this morning. And, as I put it here, it goes
9 up over--that's 158 right there. 203. 116. It's going
10 differently the closer I get to it. My hand is not
11 entirely stable at this point.

12 It's up to 164 parts per million.

13 DR. GARVEY: So this is measuring concentrations
14 in air in parts per million of relative short-length
15 hydrocarbon molecules. Okay. In order for an oil to give
16 off those molecules, that oil has to be fresh. If the oil
17 has been converted to asphalt, if it's highly weathered,
18 it's not going to have these volatiles because these are
19 among the first compounds to be lost as a result of the
20 weathering process. The fact that we can find oil here
21 that can easily trip our PID, that can stain your fingers,
22 smear on the plastic here is indicative of relatively
23 fresh, unweathered oil contained in this pit.

24 So, we clearly can show by sample here, the pit
25 that's only operated by TexPet, only used by TexPet, we

10:47 1 still have liquid oil 30 years after this oil was disposed
2 of here. Okay. So, we have 30 years of this oil in the
3 environment and it's still liquid.

4 Okay. In some of the more recent reports, Chevron
5 has changed some of its statements. In earlier statements
6 it said all of the oil here would be asphalt-like, and in
7 more recent statements they said oil within the pits might
8 be liquid, but outside the pits it's not. Okay. As we
9 will see in the next few days, even that statement isn't
10 true, but we will start here again as a simple beginning to
11 say: Here's a TexPet-only operation and we still find
12 liquid oil.

13 Now, if we could, I need Respondent's Tab 14;
14 which is the cross-section.

15 So, for this much oil to be present here at the
16 surface, for us to find fresh oil at the surface 30 years
17 after this oil was disposed of here, how is that possible?
18 If we were to smear a thin veneer of oil on the surface, we
19 would find that quickly that it would weather, that it
20 would break down, become asphalt-like. We certainly find
21 examples of that. However, we're finding here clearly that
22 this oil at the surface of this pit is not asphalt-like.
23 That means that it has to have been protected, if you
24 would, for a long period of time. Okay.

25 This is a cross-section, if you would, through the

10:49 1 pit basically from east to west here. Two of the borings
2 here indicated by the flag--

3 PRESIDENT VEEDER: Stop for a second.

4 DR. GARVEY: Sure.

5 PRESIDENT VEEDER: That's not Tab 14.

6 MR. GARCÍA REPPESA: Second page of Tab 14.

7 PRESIDENT VEEDER: Second page, I've got it.

8 DR. GARVEY: It's okay. I forgot the page number.
9 Okay.

10 So, this is a cross-section through the pit here.
11 This is, if you would, a cartoon, if you would, of how we
12 think things are. There is information that is absolute.
13 These borings and the like are shown on here. These
14 wellheads, these wells that were drilled just outside the
15 pit as well as borings collected by Louis Berger. Okay.

16 We note here that in order for us to find oil
17 present to the surface, the reservoir that's supplying this
18 oil has to be quite large because it has to have been
19 insulated from weathering for 30 years. Okay.

20 How was it insulated? Well, we have leaf litter
21 falling on top of the pit. It prevents oxygen from
22 penetrating into the underground; and, as a result, the oil
23 here is effectively capped temporarily by this leaf litter
24 and prevented from weathering.

25 What does that mean? Well, it means that a small

10:50 1 disturbance like the one that Shane created or perhaps that
 2 a farmer might make would very quickly release the oil back
 3 to the surface here. Additionally, a large change in the
 4 water table--I'll talk about what I mean by that, but the
 5 level of water within the ground could also push the oil
 6 upward above it. Okay. If the water table rises, it will
 7 displace the oil upwards, much the way you see it here.
 8 This may, in fact, be the reason we see oil at the surface
 9 here. Okay.
 10 So, this indicates that the oil is persistent in
 11 this reservoir. It's been lasting for over 30 years.
 12 Therefore, it's present to contaminate soil. It's present
 13 to contaminate any plants that might be grown around here,
 14 livestock or birds, chickens and the like that might come
 15 through here; and, if a farmer walks through here, he's
 16 going to get this on his boots, track it home, bring it
 17 home to his family. Okay. And you can certainly see how
 18 you would get it on your boots if you walked around here.
 19 Hence, we're all wearing them.
 20 So, the future use of this site, then, is
 21 significantly impacted by the presence of this oil here.
 22 I just want to point out a few more things. We'll
 23 get back to that, but we're only here for the moment.
 24 MR. EWING: I won't go far.
 25 DR. GARVEY: Could I have Respondent's Tab 15, at

10:52 1 found oil and found these high concentrations here. All
 2 right. Okay.
 3 So, we note that the soils of this area are quite
 4 contaminated within the pit itself. The samples around
 5 here in general are lower in contamination; basically
 6 they're largely non-detect by Method 8015, low levels of
 7 detections by Method TEM with respect to soils. We'll talk
 8 about groundwater in a moment.
 9 However, we also found total Polycyclic Aromatic
 10 Hydrocarbons, PAHs, here. The content in these samples
 11 here is over 600 parts per million PAHs in these soils. So
 12 there's clearly a toxic component to the TPH that's present
 13 here, to the petroleum wastes that are present here.
 14 Finally, we find barium. In nine of the eleven
 15 samples around us, including surface soils outside of the
 16 pit, we find barium in excess of the Ecuadorian standard of
 17 500 ppm. So it's well above background and in excess of
 18 the Ecuadorian standard for barium. Okay.
 19 So, that summarizes our soil investigation.
 20 We also conducted a groundwater investigation. At
 21 the perimeter of this site are four wells. You can't see
 22 them now, but we'll see them later on the walking around.
 23 There's small yellow posts just placed just outside the
 24 perimeter of this pit.
 25 So, we found in those groundwater samples the

10:51 1 Page 1, which is the soil borings.
 2 So, we've clearly found oil present here. Louis
 3 Berger undertook several borings in this pit to try to
 4 define the extent of contamination, just a limited extent,
 5 of contamination here just to get some idea of its level.
 6 That's good.
 7 This is, if you would, a cartoon of what you're
 8 looking at here. Up is north. Which way is north here?
 9 That way? So this is--so where are we standing? We're
 10 sitting here. And this is south--no, north of that, but
 11 we're sitting here looking this way. Okay.
 12 Anyway, what you notice here is the samples
 13 collected from within the pit are quite high--40,000,
 14 140,000, 33,000 parts per million--of TPH as measured by
 15 our TEM method. Okay. That's the total extractable
 16 method. But even if you had measured these with 8015,
 17 you'd come up with numbers that were close to half of the
 18 values here. So, in any respect, concentrations of
 19 contamination within this pit are quite high, and they
 20 reflect the fact that this oil is essentially at the
 21 surface or close to the surface.
 22 Those locations here are shown by this red square
 23 here, the triangle behind Shane there, and the other one
 24 right in front of us. Excuse me. Okay. Somebody moved it
 25 when I wasn't looking. Anyway, those are the locations we

10:54 1 presence of petroleum hydrocarbon contamination, somewhere
 2 on the scale of 100 to 300 parts per billion. Two of the
 3 samples come in at 300 parts per billion. Respondent's
 4 Tab 15, Page 2, which is the groundwater map.
 5 Again, we're showing you here, these are the
 6 groundwater stations. Notice they're all outside of the
 7 pit perimeter, and these two marked in red here are close
 8 to 300--are over 300 parts per million. These are on the
 9 scale of about a hundred parts per billion. I'm
 10 sorry--excuse me. These are over 300 parts per billion.
 11 These are about a hundred parts per billion. The drinking
 12 water standard is 325, so we're very close to this at these
 13 markers there. So, this is groundwater contamination now
 14 that's found outside of the perimeter of the pit. Okay.
 15 So, why does this matter? Well, it's an obvious
 16 human health risk. Okay. It's obvious that the farmer has
 17 used this area for agriculture. He's plowed this area
 18 over. Yet this pit area was completely obstructed by
 19 jungle for many years, so the use of the land in this area
 20 is very dynamic. It changes over time. We can expect that
 21 the farmers, the local people here are going to change the
 22 way they use the land. It may have been once been farmed.
 23 It may have once been forest. It's now farmland. At some
 24 point in the future it might be a homestead placed here.
 25 Might find somebody placing a groundwater well here. In

10:55 1 fact, we evaluated three different pathways for human risk
2 here and found that all three of them exposed--presented
3 unacceptable risks that would otherwise require
4 remediation. Basically a farmer is exposed to these soils
5 is an unacceptable risk. A homestead placed here that
6 would have children would also have unacceptable risk at
7 some point in the future. And if we use groundwater for
8 domestic use from this area here, you would also have
9 unacceptable risks to humans.

10 And also we didn't calculate it, but you could
11 also see that given the number of plants here and the fact
12 that livestock and chickens are also grown on these farms,
13 that there is a potential for those pathways as well, that
14 the plants may take up this contamination, the animals may
15 take up this contamination, and then the farmers will
16 ingest that as well. All right. So...

17 Okay. So, mind you, the farmer who works here
18 works by hand. He's a subsistence farmer. This is not a
19 machine system. Therefore, he's going to get these soils
20 on his hands directly. He's not working with some machine
21 that's going to isolate him from this material. He's going
22 to plant his crops here manually. Okay. So he's going to
23 come in direct contact with the soils here. All right.

24 Now, I want to make one more point before I
25 conclude. The Claimants have asserted that our inventory

10:56 1 estimates are incorrect and that this particular site is a
2 basis to say that our estimates are incorrect, they're
3 basically misinformed. Let me give you an example.

4 If we were to attempt to estimate the heights of
5 men in Coca, okay, Ecuadorian men in Coca, we could do a
6 sample population, test a few hundred men, perhaps, and
7 say, get a height that's probably around 5'4"; that's the
8 number they have on the internet for the height of men in
9 Ecuador, so it's a good place to start. Say it's about
10 5'4". Now, I decide I want to find out--I come into
11 another--I run into another Ecuadorian man, let's say José
12 over there.

13 José, would you raise your hand for me?

14 José is a little over 6-foot. Okay. Does that
15 disprove that the average Ecuadorian man or the average man
16 in Coca is 5'4"? No, it does not. Okay. He happens to be
17 over 6-foot, but the average man is still 5'4". In the
18 same way we used the average concentrations of soil
19 contamination around the pits to estimate our inventory.
20 The fact that this pit exists here and the area around it
21 is relatively not contaminated does not prove that other
22 samples that we've collected or that Chevron collected are
23 not valid estimates of the average level of contamination
24 outside of the pits.

25 So, simply testing a single point here, if you

10:58 1 would, is not a basis to dispute our model. If you wanted
2 to undo our model or test it, you would have to do a whole
3 series of pits and a whole series of investigative
4 collections so that you could create an independent
5 average, if you would, of the soil contamination that's
6 found in the Oriente. Okay.

7 One final note on this.

8 Our best estimate of the inventory based on the
9 TEM of what we think is our best estimate of oil present in
10 the soils is three and a half million barrels. Chevron
11 keeps quoting 660,000 barrels which is based on Method
12 8015. By their own methods, they show that that's an
13 inadequate characterization of the total petroleum
14 hydrocarbons in the soil.

15 So, to summarize, then, oil spilled at pits in
16 Shushufindi-34 did not become asphalt-like. It's liquid.
17 Okay. Liquid oil here can be directly attributed to
18 Chevron, okay, not to Petroecuador. Okay. It's very
19 clear. These pits are not comprised of clayey soils
20 sufficient to prevent migration. The groundwater wells in
21 the vicinity of these pits all contain petroleum
22 hydrocarbon contamination. To suggest that oil is not
23 contained within the pits or that it's contamination--the
24 contamination led to oil spreading beyond the pits,
25 therefore, the pit perimeters are not limiting the

10:56 1 estimates are incorrect and that this particular site is a
2 basis to say that our estimates are incorrect, they're
3 basically misinformed. Let me give you an example.

4 If we were to attempt to estimate the heights of
5 men in Coca, okay, Ecuadorian men in Coca, we could do a
6 sample population, test a few hundred men, perhaps, and
7 say, get a height that's probably around 5'4"; that's the
8 number they have on the internet for the height of men in
9 Ecuador, so it's a good place to start. Say it's about
10 5'4". Now, I decide I want to find out--I come into
11 another--I run into another Ecuadorian man, let's say José
12 over there.

13 José, would you raise your hand for me?

14 José is a little over 6-foot. Okay. Does that
15 disprove that the average Ecuadorian man or the average man
16 in Coca is 5'4"? No, it does not. Okay. He happens to be
17 over 6-foot, but the average man is still 5'4". In the
18 same way we used the average concentrations of soil
19 contamination around the pits to estimate our inventory.
20 The fact that this pit exists here and the area around it
21 is relatively not contaminated does not prove that other
22 samples that we've collected or that Chevron collected are
23 not valid estimates of the average level of contamination
24 outside of the pits.

25 So, simply testing a single point here, if you

10:59 1 contamination, and that human exposure is clearly in
2 evidence here. Okay. You can see it all around you. This
3 area is not a benign place that's buried in the woods where
4 nobody is going to trip on it or stumble into it. It's
5 clearly right in the middle of a farmer's field.

6 So, with that, I turn the floor back over to Greg.

7 MR. EWING: I want to make sure I don't step in
8 the wrong place here.

9 We will quickly wrap up here and turn the floor
10 over to Claimants as we are reaching the end of our time.
11 There are a couple of just quick points I'd like to make
12 before we do that, though.

13 As you look around here, Dr. Garvey mentioned
14 these are plants. If you're curious, these are chocolate
15 cacao plants that have been planted here. Since we have
16 come here, these have been--grown up considerably. There
17 used to be more papaya trees around; like this one is a
18 papaya tree that you see in front of you. They didn't seem
19 to be doing particularly well, and the farmer has clearly
20 decided to move on to chocolate.

21 We mentioned that--

22 ARBITRATOR GRIGERA NAÓN: Excuse me.

23 MR. EWING: Yes.

24 ARBITRATOR GRIGERA NAÓN: So, these were planted
25 by the farmer, or is it natural?

11:00 1 MR. EWING: The cacao are planted by the farmer.
 2 The papaya, my understanding is they are natural, and what
 3 happens when they clear areas like this is they find
 4 valuable trees like that and they leave them. So, when we
 5 came here, this had been more recently cleared and there
 6 were trees around, and you'll see obviously some behind
 7 that used to be there.
 8 Any other questions?
 9 We mentioned that this is an undocumented pit, and
 10 I just want to touch on that briefly.
 11 As an undocumented pit, what I mean by that is
 12 that it was not included in the RAP, so TexPet did not
 13 disclose that this pit existed in the RAP. And our
 14 understanding is that means either one of two things. We
 15 know that TexPet dug this pit, so we know that at least
 16 sometime before 1975, TexPet knew this pit existed.
 17 The fact that it was then undocumented in the RAP
 18 leads us to two possible conclusions. One is that TexPet
 19 had records of their pits and knew where all these pits
 20 were, they knew this pit was here, when the RAP was put
 21 together, when the list of pits were put together. They
 22 knew when these pits were here when we had done the
 23 Lago--or when they did the Lago Agrio Litigation. They
 24 knew these pits were here for this arbitration and they
 25 didn't disclose it. So, there is either that possibility,

11:01 1 they do have good records.
 2 The second possibility--and it seems to be more
 3 likely, but I don't know--is that they covered these pits.
 4 They dug these pits, they covered them, graded them to
 5 ground level, as we found from internal Chevron or Texaco
 6 documents, and they then lost them, and they really just
 7 don't know how many pits there are like this around the
 8 Oriente and around the wells in the Oriente.
 9 So, those are the two possibilities as far as
 10 we've been able to tell for how something like this could
 11 be here and be undocumented.
 12 So, with that, I would like to turn the floor to
 13 Claimants. I--
 14 PRESIDENT VEEDER: Just before you do that--
 15 MR. EWING: Yeah, yeah.
 16 PRESIDENT VEEDER: I have a question.
 17 You said earlier that you rediscovered this pit,
 18 that Mr. Cabrera had discovered it, and so two things. You
 19 mentioned that he saw it from photographic records. Where
 20 did those records come from, and also is this pit here in
 21 the Cabrera Report?
 22 MR. EWING: Yes.
 23 To answer the question, Mr. Cabrera, as part of
 24 his analysis, analyzed aerial images, just like the ones we
 25 saw, many of which come from the Ecuadorian military over

11:03 1 the years. There are a few other sources, but it's one of
 2 the primary sources. And he analyzed and put into the
 3 record, it's my understanding, all of these images, and he
 4 did a sort of a summary of pits that he found that were--he
 5 considered undocumented such as this one. I think he
 6 called them "hidden pits." So, this pit was identified by
 7 Cabrera as a part of his list of hidden pits.
 8 PRESIDENT VEEDER: Later on just give us the
 9 reference to his Report, but not now.
 10 MR. EWING: Yeah. I don't know that offhand but I
 11 can do that.
 12 Any other questions?
 13 PRESIDENT VEEDER: Thank you very much indeed.
 14 Thank you.
 15 ARBITRATOR LOWE: I've got one.
 16 Do you know during the time when TexPet was
 17 operating here what, if any, on-site inspections were
 18 conducted by the Government into the way that the
 19 operations were progressing and the way that the site was
 20 being maintained?
 21 MR. EWING: We don't have any evidence that the
 22 Government of Ecuador came and watched or evaluated the
 23 operations from TexPet. In fact, to the contrary, our
 24 understanding is that when Petroecuador--or when Ecuador
 25 knew there might be oil here, they brought in the American

11:04 1 Oil Company, who supposedly knew how to do--extract oil in
 2 a safe, efficient way, and that they brought in Texaco as
 3 that oil company to bring sort of a U.S. standard of
 4 production here. And Ecuador really didn't know how to do
 5 oil in the Sixties, and we have quite a few affidavits in
 6 the record, which I can also provide references for, but
 7 Texaco was brought in to provide their expertise and so to
 8 teach Ecuador how to do oil extraction, and so this is what
 9 they were taught.
 10 PRESIDENT VEEDER: Thank you. Will you take over
 11 here, or do you want us to move?
 12 MS. RENFROE: We're going to relocate.
 13 PRESIDENT VEEDER: We're going to relocate, so you
 14 can stop filming.
 15 (Pause.)
 16 OPENING STATEMENT BY COUNSEL FOR CLAIMANTS
 17 MR. BISHOP: Mr. President, I have been asked to
 18 give a few introductory remarks this morning, and I will be
 19 very brief, in the interest of the time that we have.
 20 After I finish, I will turn the floor over to Tracie
 21 Renfro and John Connor and Dr. Tom McHugh, who will also
 22 be presenting for us at this site.
 23 At the Hearing in Washington and throughout this
 24 case, in our Memorials and in the evidence we have
 25 presented, we have proved to you that the Judgment was

11:15 1 obtained by fraudulent and corrupt means and, therefore,
 2 was a violation of international law and a violation of the
 3 Bilateral Investment Treaty.
 4 The environmental issues that have been raised by
 5 Ecuador are no defense to the claim that we have presented
 6 before you under international law. The only possible
 7 relevance by the environmental issues are that they
 8 confirmed the denial of justice that we have alleged by
 9 showing that the Judgment is factually absurd on its face.
 10 Now, as to the environmental issues themselves as
 11 they have been raised by Ecuador, Ecuador, in its
 12 submissions, largely ignores the key legal issues. It
 13 ignores the Settlement Agreement, and it admits it ignores
 14 the Settlement Agreement. Its own experts have been
 15 instructed to ignore the Settlement Agreement. It ignores
 16 the legal and regulatory standards for the environment that
 17 might apply. And it ignores accepted, well accepted
 18 scientific methodology in the way it has presented its case
 19 and done its analysis. As a result, there are three
 20 questions that I think the Tribunal may wish to consider as
 21 you go through these Site Visits and hear the various
 22 presentations:
 23 The first is: Whose responsibility is it? Well,
 24 we know that Petroecuador was the majority owner of the
 25 Concession. It owned 62-and-a-half percent of the

11:17 1 Concession. It had the controlling interest, the majority
 2 vote. And, as a result of that, when the Settlement
 3 Agreement was reached in 1995 with TexPet, TexPet was
 4 allocated certain sites, certain areas, certain pits for it
 5 to remediate. It did remediate each of those pits, each of
 6 those areas that was allocated to it. It did that. The
 7 Government and Petroecuador inspected and approved every
 8 single bit of remediation, and then they released TexPet of
 9 all diffuse environmental liability. Ecuador ignores that.
 10 For the four sites that you're going to see in the
 11 course of these three days, there is only one pit--only one
 12 pit--that was allocated to TexPet in the settlement.
 13 Everything else was left as the responsibility of
 14 Petroecuador as the majority owner. So, what you're going
 15 to see, what you're being shown now and what you will be
 16 shown is all Petroecuador's responsibility, and that is
 17 ignored entirely by the Government.
 18 Now, the second question you may want to ask is:
 19 What are the proper legal and regulatory standards that
 20 might apply? The present--the current standard is set in
 21 Decree 1215. That's largely ignored by Ecuador's own
 22 experts in their presentations. But if you apply it, what
 23 you find is that there are very limited impacts at these
 24 sites.
 25 And the third question is: Is there an existing

11:18 1 risk to human health? The answer to that question is no,
 2 and we have brought Dr. Tom McHugh, who is going to address
 3 those issues with you.
 4 And, with that, I'm going to stop the introductory
 5 remarks and turn the floor over to Tracie Renfroe.
 6 MS. RENFROE: Thank you, Doak.
 7 Members of the Tribunal, I'm delighted that
 8 Ecuador chose Shushufindi-34. It's an excellent site for
 9 us to make the point that for at least five major reasons
 10 that we've identified, this site illustrates why the
 11 Judgment is in denial of justice, and I'm going to walk you
 12 through those reasons, quickly and efficiently, I hope.
 13 The first reason has to do with what Mr. Bishop
 14 said regarding the fact that the Judgment and now today in
 15 their presentation Ecuador completely ignores the role of
 16 the Settlement Agreement and the Remedial Action Plan or
 17 the RAP. But, indeed, I mean that very fact, the fact that
 18 they ignore that, in and of itself is a denial of justice,
 19 and I'm going to illustrate how that applies at this site.
 20 But before I get into that in any detail, let me
 21 give you a little bit more of an orientation. I appreciate
 22 what Mr. Ewing said about where we were, but let's back out
 23 a little bit and understand exactly where we are in this
 24 oilfield and in this Concession.
 25 Between leaving the Gran Hotel to Coca and driving

11:20 1 here, we passed, although you couldn't see them, but we
 2 passed over 300 producing-well platforms. We passed a
 3 number of production stations. You might have noticed the
 4 sign for the Sacha Central; that's a Production Station.
 5 And then we passed, as you may have seen along the road,
 6 numerous miles of oil conveyance and flowline pipelines.
 7 As you can see, and as I'm sure it was no doubt lost on
 8 you, that this is a very active oilfield that we are
 9 standing on. It is today and it's being expanded today as
 10 I'm going to comment shortly, but it was also at the time
 11 that TexPet handed over operations in 1990 to Petroecuador.
 12 At the time the Consortium, and that fact seems to
 13 have somewhat been neglected by Mr. Ewing in his
 14 presentation, of course it was the Consortium that operated
 15 this field. And at the time that the RAP investigation
 16 work was being done, there were field inspectors on behalf
 17 of HBT and representatives from Petroecuador and Ecuador as
 18 well as TexPet who were participating in the investigation
 19 of these sites.
 20 This site, Shushufindi-34, under the Remedial
 21 Action Plan and the Parties' agreement, is a site where not
 22 one Remedial Action was assigned to TexPet, and let me show
 23 you that; but, before I do, I want to point out how our
 24 little mini-book works.
 25 The mini-book, as I said last night, is drawn from

11:22 1 materials from our Site Packet. Though for convenience of
 2 reference we numbered consecutively the pages at the bottom
 3 of these documents, and on Page 3 is a map of the
 4 Shushufindi field, and the numbers on this map represent
 5 various wells, and within a 2-kilometer radius, there are
 6 10 more wells that we're surrounded by. But when you turn
 7 within this mini-book to pages, I believe it's
 8 Page 18--Pages 17 through 30, you will find excerpts of the
 9 Remedial Action Plan, and I want to draw your attention
 10 particularly to Page 18, where you will identify at the
 11 bottom, and I believe Mr. Baca has it here, the soil. This
 12 is a blowup of it. This is what it looks like in your
 13 mini-book, and it's at Page 18 of your mini-book.
 14 This is Table 3.1 of the Remedial Action Plan, and
 15 it identifies it's Shushufindi-34 Pit Number 1, and it says
 16 it was on the Abandoned Facility List. And then in terms
 17 of the remarks on the remediation plan it says: Used by
 18 local community.
 19 And then when you turn a few more pages into--in
 20 your mini-book, if you will turn to Page 22, it looks like
 21 this. This is from the Appendix of the Remedial Action
 22 Plan, Page 22, and you'll see Shushufindi Pit Number 1, and
 23 it says "Remediation," and there's an X marked next to
 24 "no." This was what we call a "No Further Action Pit."
 25 And that means that TexPet had no remediation

11:23 1 responsibility for it.
 2 What about the well? Well, if you turn to Page 25
 3 of your mini-book, you will see the work page in the
 4 Remedial Action Plan Appendix addressing the well. And on
 5 this page, it states that the well plugged--in the category
 6 of whether the well should be plugged and abandoned, it
 7 says "no." There's an X next to "no," and that means that
 8 that was the requirement of Petroproducción. They did not
 9 want the well plugged and abandoned. Why? Turn back to
 10 Page 20 of your mini-book. Page 20 is another table
 11 from the Remedial Action Plan itself where--it's
 12 Table 3.4--and here Shushufindi-34 is identified as a well
 13 that Petroecuador may use in the future. And so, for that
 14 reason, Petroecuador instructed TexPet not to plug and
 15 abandon this well.
 16 So, when you look at the Remedial Action Plan
 17 throughout, you will find that TexPet was not assigned a
 18 single remedial action item at this platform. Now, that
 19 was no accident. Around us TexPet was assigned remedial
 20 action work at more than 35 well platforms nearby, but it
 21 was the agreement of the Parties they were to do nothing at
 22 this site. That's what the Parties agreed. And then when
 23 we look further in the mini-book towards--if you go to
 24 Page 27 through Page 30 in the mini-book, you will find the
 25 Approval Acta by the Republic and Petroecuador approving

11:25 1 that there was not to be any work done at Shushufindi-34,
 2 and you will see that on Page 34.
 3 And then the very next page is the Final Release
 4 granted by the Government of Ecuador and Petroecuador,
 5 releasing TexPet for any liabilities. And so between this
 6 Final Release in September 30 of 1998 and the May 1995
 7 Settlement Agreement and the Releases there, TexPet was
 8 fully released of all liabilities whatsoever for the site.
 9 The consequence of that is that Petroecuador retained all
 10 of the liabilities or all of the responsibility for any
 11 action at this site.
 12 And then ultimately, eventually Petroecuador did
 13 remediate two pits at this site; and now let me orient you
 14 towards--if you have this legend, there was a little bit of
 15 misunderstanding by Mr. Ewing earlier. What we have
 16 represented in the yellow pennant flagging that says "NFA,"
 17 over there on the platform, the yellow pennant flagging
 18 which I'm going to show you in a little while which says
 19 "NFA," that is the pit that TexPet had no responsibility to
 20 remediate but which, in 2006 and 2007, Petroecuador did
 21 remediate.
 22 They remediated a second pit, and again, the
 23 suggestion by Mr. Ewing that there are four or more pits at
 24 this location is simply false. The only documentation
 25 showed us and the aerial photograph shows there's this NFA

11:27 1 pit that is over there off the platform, off the east of
 2 the platform with the pennant flagging that Petroecuador
 3 remediated. There's another pit over here that
 4 Petroecuador remediated. And how do we know that? In the
 5 large Site Packet, Tab 13, you will find pages from the
 6 PEPDA Remediation Program identifying two Shushufindi pits,
 7 1 and 2. It's very small print. It's very hard to read,
 8 but there are two pits identified that Petroecuador
 9 remediated in 2006 and 2007. Here, I'm happy to hand this
 10 to you if you'd like to look at it.
 11 And so, we know that the Contract Petroecuador has
 12 at least recognized that it has liabilities and
 13 responsibilities for remediating environmental liabilities
 14 at this site and, at least in the case of those two pits,
 15 they have taken that action. I'm not sure why Petroecuador
 16 didn't inform Mr. Ewing of the location of the second pit.
 17 Certainly, they would know where it is.
 18 But, in any event, that's my first point, that the
 19 RAP--in the RAP and in the Settlement Agreement, TexPet was
 20 fully discharged for any liabilities whatsoever at this
 21 site, and all responsibility for any remediation, including
 22 the pit that you're looking at, lies solely with
 23 Petroecuador.
 24 Second reason that this site illustrates why the
 25 Judgment is a denial of justice has to do with the

11:28 1 remediation criteria and the costs of remediation. As
 2 Mr. Bishop alluded to, then--well, in the Judgment, as you
 3 know, the Judgment applies a remediation standard of 100
 4 parts per million for TPH. However, Ecuador's own Decree
 5 1215 does not use 100. It uses three different standards
 6 for TPH, depending on the land use; and, in an agricultural
 7 area like this, the applicable standard for the permissible
 8 limit for TPH would be 2500 parts per million, not 100
 9 parts per million as the Judgment calls for.

10 Now, we don't know what standard Petroecuador used
 11 when it remediated those two pits over there, but I can
 12 assure you they didn't use 100 parts per million, and
 13 they've not produced any documentation as to how they did
 14 it.

15 Now, as to costs--and by the way, Mr. Connor, in
 16 just a moment, is going to explain to you how the
 17 applicable and appropriate remediation criteria makes a
 18 difference with respect to the sampling points and the
 19 sampling result. He will explain to you what these flags
 20 mean in terms of red and green in just a moment. And if
 21 it's not clear, this yellow pennant here is simply puts the
 22 arms around this pit which was, of course, not assigned to
 23 TexPet.

24 And the cost of remediation, the second reason why
 25 the Judgment represents a denial of justice. Under the

11:30 1 Judgment, this pit would cost--or the Judgment awards
 2 \$6.1 million against Chevron and TexPet to remediate this
 3 small pit. This pit is far, far smaller in dimension than
 4 what the Judgment assumes. The Judgment assumes 8400 cubic
 5 meters for every pit that has to be remediated, and you can
 6 see with your own eyes this represents nothing of the sort.
 7 So just this one illustration represents an example of why
 8 the Judgment is a denial of justice and simply untethered
 9 to the facts.

10 Now, on the criteria, I failed to mention, you
 11 heard Dr. Garvey talk about the remediation criteria and
 12 standards, I believe, and I think Mr. Ewing did, too. But
 13 you also remember at the Hearing, Dr. Garvey admitted that
 14 when he declared a sample to be contaminated, he was not
 15 honoring or even using Ecuador's Decree 1215. He declared
 16 samples to be contaminated only if they are over the
 17 detection limit, which is simply not the way Ecuador--it's
 18 not the rules in Ecuador, it's not the law in Ecuador, and
 19 it's not the practice in Ecuador.

20 So, moving now to my third point for why this site
 21 illustrates vividly why the Judgment is a denial of
 22 justice, we come to the fact about the limited extent of
 23 the impacts. Yes, we see that there is oil, remnants of
 24 oil in that pit. We certainly understand that. And, as
 25 we've told you at the Hearing and throughout our Expert

11:31 1 Reports and our Memorials, there are remnants of Consortium
 2 operation impacts in these oilfields, and that's because
 3 Petroecuador was assigned--had responsibilities to do
 4 remediation, and they haven't done it all. They've done it
 5 in some places, two pits at this site, but they haven't
 6 done this.

7 But, with all due respect, Members of the
 8 Tribunal, I suspect and predict that they will because they
 9 have intentions of expanding operations at this site.
 10 While they're not operating today, they have in 2013
 11 published an environmental impact assessment that says they
 12 intend to expand that platform up there and build five new
 13 wells. And they've done that throughout this Concession.
 14 And when they do that, they tend to go in and clean up
 15 liabilities that are theirs that they have not previously
 16 addressed.

17 So, with that, I'll turn it over now to Mr. Connor
 18 to address the third point, which is the fact that the
 19 impacts to soil and groundwater at this site are limited
 20 and that in and of itself also illustrates why the Judgment
 21 is so flawed and a denial of justice. And then Dr. McHugh
 22 will address you, and then I'll tackle the fifth point.

23 MR. CONNOR: Hi. I'm John Connor. You heard me
 24 speak at the Hearing, and at the Hearing I said I was going
 25 to focus on the data, the thousands of datapoints we

11:33 1 collected out here at this site and many others, and that's
 2 what I'm going to do today, talk about the data from this
 3 site.

4 Now, at this site there is not a RAP, there was no
 5 work assigned to TexPet, so there is no RAP data, and there
 6 wasn't a Judicial Inspection here, so there's no Judicial
 7 Inspection data that could have been considered in the
 8 Judgment. But the Ecuador Experts have conducted an
 9 investigation here, and Dr. Garvey talked to you about
 10 their findings, and I'm going to talk about that data even
 11 more.

12 And the things I'm going to try to tell you with
 13 respect to that data are two things, principally: The
 14 extent of the impacts. That's where is the stuff? Where
 15 is it? And then migration. That's: Where is it going?
 16 So: Where do we have impacts in the oilfield operations
 17 and are they spreading or not?

18 And, at the same time, I'm going to try to explain
 19 why we have two different sets of experts standing out here
 20 today telling you very different things about the same
 21 data. I'll try to explain that. And as I said in the
 22 Hearing, there's a few fundamental issues that explain that
 23 differences: The first is the criteria, what criteria the
 24 Party is using. The second is the interpretation of
 25 migration. We're going to talk about weathering. We're

11:35 1 going to talk about mobility of oil. And the third are
 2 certain aspects of the analytical testing program.
 3 So I'm going to try to explain the extent of the
 4 impacts. I'm going to talk about migration. I'm going to
 5 talk about three differences: The criteria, interpretation
 6 of migration, and interpretation of data.
 7 So, before I start that, I'm going to tell you
 8 something about the symbology out here. We have a surfeit
 9 of symbology, a cacophony of color. All right? And here
 10 you have it. It's pretty easy to follow. I think you guys
 11 got this. Well, it's actually not too complicated, I'm
 12 going to point that out.
 13 So the first thing I'll point out is I believe
 14 that Ms. Renfro told you that this yellow flagging is the
 15 flagging that circles a pit and indicates by its color
 16 whether or not it was in the RAP. So a yellow outline,
 17 these yellow flags mean that is was in the RAP.
 18 This is my colleague, Ernesto Baca. He also is a
 19 Judicial Inspection expert. Ernie and I have been to,
 20 along with the other colleagues, about 160 sites out here,
 21 and I'll be talking about that experience as well along
 22 with him.
 23 So, in the Hearing, we had this colored map that
 24 indicated if something was in the RAP or not. If it wasn't
 25 in the RAP, it's yellow, and if it is in the RAP, it's

11:37 1 every place that you see a green flag, that's also a soil
 2 sample.
 3 And then we have--you see down in the pit here
 4 there are several different red symbols. There's, I
 5 think--there's six. There's actually three borings inside
 6 the pit, and the triangles that are pointing to the side
 7 are the triangles that I asked to be put in, and they match
 8 exactly the maps that we presented to you before that you
 9 have in your packet. You have a trifold of this thing as
 10 well; right? Do you have one of those?
 11 So, what we've done is we have taken that exact
 12 map and we put it on the ground. So, this is a map you're
 13 seeing here. Handy reference point. Also a tremendous
 14 lunch place mat, I want to tell you that.
 15 So here, when you see these red symbols in the
 16 pit, that's those symbols down there, and then the green
 17 around here that we see around here, those are the green
 18 symbols. So we try to take this map and put it on the
 19 ground, so that's what we're looking at, and that's what
 20 Ernie is showing here.
 21 Okay. So, that's the symbology. And to go back
 22 to the symbology is to talk about the criteria. The
 23 criteria that we're using that's legislated in Ecuador,
 24 that's set forth in the RAOH Regulations, called Decree
 25 1215, are the criteria that we use to say red or green.

11:36 1 blue. So, at this site there's no blue. And you're only
 2 going to see blue flagging this week at one site, and that
 3 will be Lago Agrio 2. The other three sites that we're
 4 going to see--this site, Shushufindi-55 and
 5 Aguarico-06--had no tasks that were assigned to TexPet, so
 6 there's only yellow. All right? Yellow flag, not in the
 7 RAP.
 8 Okay, other color flags. My colleague Danielle
 9 Kingham over there, and she is holding up a green triangle,
 10 doing the Vanna White thing. So, that flag means okay,
 11 it's based on the criteria, and the criteria we're using
 12 give us two colors of flags. They give us a green flag,
 13 which means it's below the criteria, it's okay. Criteria
 14 is like a speed limit, if you're under, it's okay, it's
 15 green. If you're above, it's not okay, it's red. Okay?
 16 So, I have a green flag there for soil, and then next to
 17 it, in that little triangle like that, that's soil, and
 18 then a triangle like this pointing down is groundwater.
 19 So Dr. Garvey has conducted two types of sampling
 20 here. He sampled soils, and he sampled ground. So, that's
 21 our groundwater symbol. Every place that a groundwater
 22 sample has been collected, you'll see that triangle with GW
 23 on it. Can you all see that? And there is actually one
 24 right behind you, Dr. Lowe. It's right on the other side
 25 there. Okay. And there is the soil sample. Okay. And

11:39 1 And those criteria for all field operations are
 2 specifically laid out on Page 6 on this rule. It's
 3 actually, I'm sorry, Table 6, Table 6 in this rule. And
 4 Table 6 in this rule are the cleanup standards for soils
 5 that are contaminated by oilfield waste materials. Okay?
 6 And for oil it sets forth different standards
 7 based on different land uses: Industrial, agricultural, or
 8 sensitive ecosystem. "Sensitive ecosystem" means it's a
 9 designated national park or preserve, and it has to be
 10 designated by the Ministry. There aren't too many oilfield
 11 sites in those facilities. None of the oilfield sites in
 12 the Judicial Inspection are in places like that. This is
 13 not a sensitive ecosystem. This is clearly an agricultural
 14 area, as we see.
 15 So, in an agricultural area, our TPH--it's Total
 16 Petroleum Hydrocarbons oil criteria--is 2500 parts per
 17 million or milligrams per kilogram. They're the same
 18 thing, so 2500 parts per million.
 19 So, if these flags out here, if I'm above 2500
 20 parts per million, a measurement that was made by 8015, a
 21 method that's approved in this regulation in Annexure 5,
 22 8015 is approved, then we color it red, just like on the
 23 maps. If it's below, it's colored green.
 24 So, those criteria from my flags are red and
 25 green, according to this document. Now, this is the same

11:41 1 document that's used by every oilfield operator in Ecuador
2 today, since 2001, and it's used by Petroecuador, and it's
3 approved by the Government.

4 So, in our Report, we provided you with a big
5 stack of our Remediation Reports by Petroecuador and
6 others, showing that they used this document. There is no
7 one that uses the color system that has been presented here
8 by the Ecuador experts.

9 So the differences in the colors, you'll look out
10 here. You'll see an orange flag. You'll see a yellow
11 flag. Those aren't yellow or orange in accordance with
12 Decree 1215. They're yellow or orange with respect to a
13 different symbology, a different criteria system that
14 appears on Dr. Garvey's maps, and it's consistent with that
15 system that he's presented, but it's not consistent with
16 the regulations that are used by all oilfield Operators and
17 approved by the government in Ecuador. So there is our
18 symbology, and that covers criteria as well.

19 So, now let's talk about where we are. And I'm
20 going to start there and then I'm going to talk about
21 extent--where is it--and I'm going to talk about migration,
22 where is it going.

23 So, where we are is at the edge of an oilfield
24 pit. I don't know if you notice that there's a little--you
25 all are sitting on what's somewhat of a berm. This pit is

11:42 1 excavated by a big--you know, one of these backhoes. It
2 you reached out here and claws opens the hole and the dirt
3 that you're sitting on was pulled out of the whole, and it
4 creates this berm. You can really see the berm extending
5 around this pit; right? And so in this pit, dug into the
6 clayey soils here are placed oily waste. It's a waste
7 container, much like a waste basket. So we had pits like
8 this. This is a way--this is where the wastes were
9 supposed to be placed. It's required that you use a pit.

10 And there's also a procedure for closing a pit
11 that's set out; right? How to remediate a pit. We talked
12 about that in the Hearing, that when this pit is
13 remediated, as it was remediated by TexPet, they would come
14 out with that backhoe again and they would scrape at this
15 material to scrape up all that oily stuff until they saw
16 clean soil. And they saw clean soil usually within that
17 distance from the walls of the pit, right? I believe Dr.
18 Garvey had a diagram that was a very useful diagram.

19 Dr. Garvey, is it possible to get that
20 cross-section diagram handy? Is that okay?

21 MS. RENFROE: And, Mr. Connor, let me just caution
22 you: You've got about ten minutes left.

23 MR. CONNOR: Okay, well, never mind. We'll take
24 it on.

25 Okay. So, in that diagram, what they said in the

11:43 1 RAP is it only goes about that far in the ground, and
2 that's typical. We've tested a lot of pits around this
3 area. We don't find material seeping into the ground. And
4 why not? Well, let's look at some of this material here
5 and try to understand why this stuff doesn't move through
6 the soil. And I will back up and talk about weathering.

7 So, here is this material, and Dr. Garvey showed
8 this to you, and he said there is some liquid material in
9 there so it can't be weathered; right? And I think what we
10 have is a misunderstanding in nomenclature, and let's
11 clarify that.

12 When we say "it's weathered," we mean that it's
13 lost a lot of its chemical consistency; it's lost a lot,
14 and specifically in the oilfield, there is a specific
15 breakdown. They're called the SARA test, S-A-R-A,
16 saturated aromatics, resins and asphaltenes. And when we
17 say it converse to resins and asphaltenes, we mean that the
18 saturated aromatics are gone. Well, we know they're gone
19 from this this because we tested aromatics and we tested
20 saturates. They're called GRO in the laboratory. They're
21 called "BTEX" in the laboratory. There's none in here.
22 They've been tested. It's not in here.

23 So, yes, it's weathered; yes, it's weathered. And
24 look at the consistency if it. It's sticky. It's oily.
25 It smells like hydrocarbon--you can take my word for

11:45 1 that--it has a hydrocarbon smell and has vapors coming off
2 of it. Are those vapors, as we saw with the PID, do they
3 indicate the saturated aromatics? No. You have vapors
4 that come off of diesel. Diesel is a middle-range
5 hydrocarbon. You have naphthalene. There's many vapors
6 that will still be in this material that you can smell them
7 but it doesn't mean that it's unweathered. And the fact
8 that it's liquid, that there's liquid fraction to it, that
9 doesn't means it's unweathered either. You could have
10 resins and asphaltenes that are still liquid, but they have
11 been converted. The asphaltenes are tiny particles
12 dissolved within the resin. So, what we are seeing here is
13 resin with asphaltenes in it.

14 Now let's look what's outside the pit.

15 Danielle, if you could bring me a sample.

16 What we have done is we drilled a boring
17 immediately adjacent to the soil boring SB-06 that Dr.
18 Garvey completed here, and he drilled down to--we've
19 drilled down to exactly the depth where sample SL008 was
20 collected, and here is what we have. Here it is.

21 So, there is the soil. This is a natural soil.
22 It's not black. It doesn't smell like hydrocarbon. It
23 doesn't look like oil. It's not oil; all right? That's
24 natural dirt. You see that? And it's got a little bit of
25 sheen to it because that's water in there. You can mold it

11:46 1 into a shape. That means it's clay--mostly clay--has some
 2 silt in it. If you feel it, it has a little bit of sand in
 3 it. Well, this is a natural clay. This is a natural soil.
 4 So, that's why it's clean. Every place outside this area,
 5 we found this. So that's what we're talking about.
 6 Okay. So, now let's talk about extent. Has the
 7 material in the pit moved outside the pit? Here it is.
 8 Has it moved outside the pit? No. It hasn't moved outside
 9 the pit. Why not? Because the water can go through soil
 10 when oil can't, especially resins and asphaltenes. And
 11 what is going on there? Well, soil is made up of tiny
 12 pores, like a spaghetti strainer, and you can shake a
 13 spaghetti strainer and have water come through, but the
 14 pores are too small. This sticky stuff can't get through
 15 there. That's why it stays in these pits. That's why the
 16 soil outside is clean. And we know it's clean. We know
 17 all the way around this pit, how far does it extend? It
 18 doesn't come outside the pit. Did it migrate? Did it
 19 migrate? This pit has been here for 30 or 40 years. Look
 20 at the edge of the pit with the yellow flagging and look
 21 where Danielle is standing. That could be 2 meters. It
 22 hasn't gone that far. Look over here at the groundwater
 23 flag. It's maybe 4 meters. It hasn't gone there. All the
 24 way around this area we have groundwater wells, we have
 25 soil borings, none of them have been impacted.

11:48 1 So, that tells us that it hasn't migrated; right?
 2 That's what we mean by "migration." We don't mean that is
 3 it--can it move here. There's a little bit of liquid in
 4 it. We mean, can it move outside the pit? And that's what
 5 matters to us. We know what's in it that we care about
 6 what's outside the pit.
 7 So, that covers extent. It covers migration. And
 8 now let's talk about one other thing--actually two other
 9 things, if I have time.
 10 MS. RENFROE: I think you do.
 11 MR. CONNOR: Why thank you.
 12 MS. RENFROE: You're welcome.
 13 MR. CONNOR: We're going to talk about analytical
 14 methods. Okay. There is a big discussion about analytical
 15 methods, and I think, as Mr. Ewing said, they're pretty
 16 complicated--maybe that was Mr. Attorney General. They're
 17 right. They're pretty complicated, so we're going to try
 18 to make that simple.
 19 These soil samples that are outside the pit, all
 20 the green ones, all of them but one are non-detect by 8015.
 21 8015 is the standard accepted standard method for this type
 22 of work; okay? It's actually accepted method according to
 23 Annexure 5 of Decree 1215. It's the standard method that
 24 we use at every oilfield site I investigated in my career.
 25 And it's important you use standard accepted

11:49 1 methods. Why? Because to give us reliable, consistent
 2 results. If you don't use standard methods that give you
 3 reliable, consistent results, you could make a mistake;
 4 right?
 5 Now, TEM is one of those methods that are not a
 6 standard, reliable method. I've never used it on an
 7 oilfield site. And, in fact, Decree 1215 tells you not to
 8 use it on an oilfield site. Now, why do people not use it?
 9 Because you'll make a mistake. Right there where we take
 10 this sample where Danielle is standing, we took it from the
 11 same exact depth as Sample SL-00-8, and 1215 says there is
 12 no oil in here. We can see that this is a natural soil.
 13 MS. RENFROE: Can you show the Tribunal where
 14 SL-00-8 is on the map, please, Mr. Connor.
 15 MR. CONNOR: This is the water map, Ernie, so slip
 16 it over.
 17 So, SL-00-8, Ernie, is right here on the edge of
 18 that, you see that? Yeah. It's where Dannie stands. It's
 19 on the southwest corner of the pit.
 20 So, but TEM tells us that this soil right here has
 21 1700 parts per million of oil in it--1700 parts per
 22 million. If it had 1700 parts per million, it would have a
 23 dark color. If it said 1700 parts per million, it would
 24 smell like oil. 1700 parts per million is enough to change
 25 the color and smell of this sample, but the sample doesn't

11:50 1 contain that oil.
 2 So that's the danger of using a nonstandard,
 3 nonaccepted protocol for sampling. That's why it was such
 4 a big deal in the Hearing. And it may have been
 5 complicated, it may have been difficult to follow--I don't
 6 know--but this is what we're talking about. This is oil,
 7 this is not, and you can do tests that confuse that. On
 8 paper, it will tell us something that our eyes tell us is
 9 wrong. So, the right method tells us that there is
 10 non-detect oil around here. There is only one sample that
 11 has a trace level, and Dr. McHugh will talk more about
 12 that.
 13 Now, I'm going to talk about the calculation.
 14 MS. RENFROE: And you've got about two minutes.
 15 MR. CONNOR: I have two minutes to talk to you
 16 about the calculation, okay? The calculation tells us--do
 17 we have the pin-up map, Ernie? I am going to show you this
 18 illustration. I'm going to try to re-create the
 19 calculation that was done by...I'm going to take my time to
 20 talk about this--
 21 MS. RENFROE: But not too much.
 22 (Laughter.)
 23 MR. CONNOR: --today.
 24 Okay. This map here is--I'm trying to illustrate
 25 how that calculation works, to the best of my

11:52 1 understanding. It was documented in Dr. Garvey's reports
 2 and I tried to re-create that to a degree we could.
 3 So, the idea is that you draw different radial
 4 distances around a pit. So, here you draw 0 to 50 meters,
 5 so we would go out, oh, beyond all these flags. We'd go
 6 beyond the road over there; okay, beyond the vehicles,
 7 50 meters away. And then you would go another radial
 8 distance from 50 meters to a hundred meters to be way--to
 9 would be all far out in that palm plantation over there.
 10 And here you'd probably be to those trees behind you.
 11 That's where you would go. And then you would go another
 12 hundred meters, out to 200, so way beyond those trees, way
 13 beyond the oil platform--
 14 MS. RENFROE: Mr. Connor, just for clarity of the
 15 record, are you talking now about the mass calculation or
 16 the inventory that Mr. Garvey mentioned earlier?
 17 MR. CONNOR: Yes, I am. I'm talking about the
 18 mass calculation and the inventory that Dr. Garvey
 19 mentioned earlier. Yes, yes.
 20 So, that's the methodology.
 21 And the results of that methodology told us a
 22 couple of things, that we need to reality check. The first
 23 reality check: Does this calculation have any bearing on
 24 the decision for remediation? We need bearing on the
 25 Judgment. We need bearing on the need to clean up. No.

11:53 1 The rules for cleaning up are in Decree 1215, and they're
 2 based on concentration. So, when Petroecuador, or any
 3 other party, comes out to this pit or any site, they base
 4 it on the concentration that they find in this soil. This
 5 soil exceeds this limit and clean it up. You don't do a
 6 calculation of mass.
 7 So, fundamentally, the calculation is not relevant
 8 to any decision for cleanup. That's reality check number
 9 one.
 10 Reality check number two is this calculation tells
 11 us that, on average, that 90 percent of the oil is outside
 12 the pit. Well here, reality checking at 100 percent of the
 13 oil is in the pit; right? There is no the oil outside the
 14 pit. So, the calculation doesn't work here, and it doesn't
 15 work at the other sites we're going to see, and it doesn't
 16 work at any of the sites that I know of that I visited
 17 because the concept is that you have radial contamination
 18 going out in directions over a great distance. But you
 19 don't. You don't. There is no contamination out here, and
 20 we know that because we have surrounded this--this is
 21 surrounded with green points.
 22 The final reality check is the idea that you need
 23 to have many, many sites that, on average, will tell you
 24 what's going on. Well, if you need many, many sites, on
 25 average, the calculation has to make sense at a site, and I

11:54 1 haven't seen a site where it makes sense. I don't believe
 2 that you all will see a site where it makes sense. So it
 3 doesn't--if we can't find a site where it makes sense on
 4 average, then it doesn't make sense. And I think they
 5 explained that in the Hearing and explained to you that the
 6 vast amount of oil that was calculated only exists in that
 7 calculation. You won't find it out in these sites.
 8 I think that completes everything I needed to say,
 9 and I thank you for your time, and I thank everyone else
 10 here for your patience.
 11 MS. RENFROE: Members of the Tribunal, before we
 12 move to our fourth point, do you have any questions for Mr.
 13 Connor, or would you like to reserve those as well?
 14 PRESIDENT VEEDER: No questions.
 15 MS. RENFROE: Thank you.
 16 Then let me move, then, to the fourth point and
 17 let me see if we can move this out of the way, Mr. Baca, if
 18 you could.
 19 So, the fourth point that I'd like to make, and
 20 the reason why Shushufindi-34 again illustrates why the
 21 judgment is a denial of justice is that you may remember
 22 the Judgment awards \$1.4 billion for a healthcare system to
 23 provide for all the residents in the Oriente. It awards
 24 \$800 million for an alleged excess cancer risk that has not
 25 been proven, and then it awards \$150 million for a potable

11:56 1 water system for various locations in the Oriente. And, in
 2 the Judgment, all of those awards are not connected in any
 3 way whatsoever to oil-and-gas operations of TexPet. That,
 4 in and of itself, is a denial of justice and proves that
 5 the Judgment had no basis in fact.
 6 But, in addition to that, the sampling that has
 7 been done here by LBG also illustrates that there is no
 8 basis for any health risk whatsoever, any health impacts
 9 now, or any health risk to residents in the future. And,
 10 for that point, I would like to ask Dr. Tom McHugh to
 11 address the data.
 12 MR. McHUGH: Thank you.
 13 I'm Tom McHugh. You may remember me from the
 14 Hearing. I'm a toxicologist, and so I'm here to address
 15 the health-risk issues at these sites that we're going to
 16 visit.
 17 At this site I'm going to address three points and
 18 address the point that the residents here have a safe
 19 source of water that's free of petroleum. The conditions
 20 here are not a health risk for the residents, and the
 21 conditions here are not a health risk for livestock, and I
 22 expect to address these points at each of the sites that we
 23 visit.
 24 So the first point is the safe source of water,
 25 the water that's free of petroleum. At this site, the

11:57 1 residence right over here uses a rainwater catchment system
2 as their source of water. A rainwater catchment system is
3 an engineered system that's designed to capture rainwater
4 and then store it for domestic use. And, as you leave the
5 site, you will be able to see on your left over there a
6 white plastic storage container, and that's what captures
7 the rainwater and stores it for their use.

8 These rainwater catchment systems are commonly
9 used within the Concession Area. As you drive to and from
10 the sites, you will see examples of them, and you'll see
11 them at residences that are close to wells and you'll see
12 them at residences that are not close to wells. There are
13 lots of reasons why residents choose not to use groundwater
14 as their drinking water. It has nothing to do with
15 petroleum operations.

16 The rainwater catchment system, it's easier to
17 manage bacterial situation. That's one thing. Here, in
18 your Lago Agrio 2 large packet from Chevron, there is a
19 photo of the rainwater catchment system that you will be
20 able to see when we visit Lago 2. So, that's the source of
21 water for the residents here.

22 Next I'm going to return to the health concerns.
23 I'm going to start with groundwater.

24 LBG, in their investigation, they installed four
25 groundwater monitoring wells. These flags were pointed out

12:00 1 for a soil sample taken from the pit, and you'll see these
2 chromatograms from the water wells, and you'll see that the
3 pattern is completely different. The water wells are
4 characterized by a single large peak. That's not
5 indicative of petroleum. It's indicative of plant
6 material. And so the analytical results, when taken as a
7 whole, clearly show that there's not petroleum in any of
8 the groundwater wells that were installed here.

9 So, moving on to soil, the soil inside the pit
10 contains petroleum. It contains petroleum at
11 concentrations above the Ecuadorian standards for
12 agricultural land. That means that it should be managed in
13 accordance with the Ecuadorian regulations.

14 But an exceedance of the Ecuadorian regulations is
15 not the same as a health risk. And so, in talking about
16 the health risk, it inevitably gets a little bit more
17 complicated. But Dr. Strauss, when she testified in D.C.,
18 she testified that the risk assessment cannot be used to
19 identify actual risks for the local residents, that the
20 risk assessment can only be used to identify locations
21 where a cleanup is appropriate or should be considered.

22 And why is that? Why is the risk assessment
23 appropriate only for evaluating cleanup? It's because of
24 the exposure assumptions that are built into the risk
25 assessment. In Dr. Strauss's risk assessment, she only

11:58 1 to you earlier, and they tested the water in these wells,
2 and they found that the water at every location meets
3 Ecuadorian groundwater standards. That's why there are
4 those green flags at each one of the wells.

5 Their testing also showed that the groundwater met
6 USEPA drinking water standards and World Health
7 Organization drinking water standards. That means it's
8 safe to drink.

9 You heard the Government of Ecuador
10 representatives tell you that petroleum was detected in
11 each of the four wells. They didn't tell you that they
12 tested the wells, each of the wells, using three different
13 methods to look for petroleum. And, at each of the wells,
14 two of the methods showed no petroleum in those wells. The
15 third method, 8015, when applied to groundwater improperly,
16 it's susceptible to picking up plant materials. And when
17 Dr. Greg Douglas testified in D.C., he talked a lot about
18 the difficulty of finding plant material in water and soil
19 samples, and he explained how, with 8015, you can review
20 the chromatograms and, by looking at the chromatograms, you
21 can distinguish between petroleum and plant matter. I
22 can't go into the technical details, but the chromatograms
23 for these monitoring wells are in the large booklet that
24 you have for this site in Tab 21 of that large booklet.

25 And, in that booklet, you'll see a chromatogram

12:01 1 included two of the samples. Of all of the samples
2 collected out here, she only evaluated risk based on two
3 samples. One soil sample, the red triangle there, was the
4 highest petroleum concentration they found in any sample.
5 And the risk assessment was based on the assumption that a
6 resident would be exposed at that location every single
7 day. And it's not just walking across it. You guys were
8 down in the pit, and you were not exposed to petroleum
9 while you were just walking or sitting in the pit. It
10 assumes intimate interaction with the soil every day in
11 order to come in contact with that material, every day for
12 30 years.

13 And, as we also asks discussed in D.C., Dr.
14 Strauss evaluated the risks using six different calculation
15 methods and she came up with six different risk cancers.
16 And I tried to explained that only one of those evaluation
17 methods was conducted in accordance with a defined
18 Regulatory Protocol and that the other five methods all
19 deviated to one degree or another from that defined
20 Protocol.

21 Using the one method that was done consistent with
22 the Regulatory Protocol, even assuming that daily contact
23 with the soil right at that red triangle there, she
24 calculated that it was not a risk concern. It was only
25 when she deviated from that standard accepted Protocol that

12:02 1 she came up with numbers that were above risk level
2 concern, and her highest calculation was 180 times the safe
3 level, which really illustrates how her calculations that
4 deviate from the regulatory process give you some numbers
5 that just don't make sense.

6 Her calculations for the groundwater are
7 particularly illustrative because, as I said, the
8 groundwater tested at these locations meets the EPA and
9 World Health Organization drinking water standards. And,
10 when she applies again the calculation conducted in
11 accordance with the regulatory process, she also finds that
12 the groundwater is safe to drink. It's only when she uses
13 the results that falsely treat petroleum--or falsely treat
14 petroleum material as petroleum and then overestimates the
15 toxicity of that petroleum that she finds a risk.

16 It's also illustrative to look at the one
17 groundwater sample that she included in her risk
18 assessment. LBG indicated that the groundwater below
19 ground here is starting from the banana trees over there
20 and it's flowing under the pit in this direction and then
21 heading out over the street that way. The one location
22 where they found the highest amount of plant matter that
23 they said was petroleum is the one that is upflow of the
24 pit, so it's the water coming from the banana trees over
25 there before it gets to the pit is where they found the

12:04 1 highest concentration of material, and that's where Dr.
2 Strauss did her risk assessment and found the risk when she
3 deviates from the Regulatory Protocol.

4 MS. RENFROE: Before you move on from that, can
5 you comment about why--can you explain a little bit more of
6 your point about--or the significance of the fact that that
7 sample point is upgrading of this pit?

8 MR. CONNOR: Yes.

9 So, the petroleum is in the pit here. As LBG
10 illustrates in their Report, the groundwater is flowing in
11 this direction. If petroleum was leaking down to the
12 water, when it hit the water, it would flow that way away
13 from the pit. It would not migrate upstream or upriver to
14 that well over there.

15 Okay. Dr. Strauss also calculated cancer risks at
16 each of her locations that she evaluated, and there are a
17 lot of concerns also with the cancer evaluations that she
18 did, and I talked about those in D.C.

19 But, at this location, the important point is that
20 the cancer risk that she calculated is in the medium level.
21 She had three levels of cancer risk: Low, of no concern;
22 medium indicates only that some further evaluation is
23 required; and her cancer risk did not fall in the high
24 range that she identifies as more significant.

25 I'm going to close out by talking about risk to

12:05 1 livestock. In LBG's submittal, they indicated that this
2 pit was a concern for livestock, based on concentrations
3 exceeding screening values for livestock. However, the
4 conclusion was based on two flaws in the way they did that
5 evaluation. One is that they used their TEM results for
6 that comparison; and, as you've had a lot of discussion,
7 the TEM measures a lot of material that's not measured by
8 the true TPH method. And so the TEM is simply a different
9 scale. It's like having a standard for temperature in
10 Celsius and then taking Fahrenheit measurements to evaluate
11 whether or not you're exceeding it. Using the TEM results
12 to identify exceedances simply doesn't work.

13 In addition, the livestock screening values are
14 intended to be applied when you have contamination that's
15 throughout a grazing area. They're intended to be safe
16 when the livestock is continuously exposed to that level of
17 contamination. Here, this level of petroleum is in a very
18 isolated location, and you can have much higher
19 concentrations of petroleum in this isolated location
20 before it would be a concern. And they have only one
21 location that exceeds, and if you properly apply the
22 livestock screening criteria, then you identify no life
23 stock risk.

24 In their presentation, the Government of Ecuador
25 representatives suggested that uptake of petroleum into

12:07 1 plants would be a concern. This is simply not the case.
2 The weathered petroleum, the scientific literature is clear
3 whether petroleum stays in the ground, the roots can't take
4 up the material.

5 And even the volatile constituents, which the
6 laboratory testing showed was not present here, but the
7 volatile constituents, when they do go into plants, they
8 quickly exit through the leaves. They do not build up in
9 the fruits. So, harvesting fruits, even if there was
10 volatile constituents in the ground, the fruits would not
11 contain petroleum, and there is extensive literature that
12 documents that.

13 And I think that is the points that I had to
14 cover.

15 MS. RENFROE: Thank you, Dr. McHugh.

16 Members of the Tribunal, according to our time
17 count, we have eight minutes left, and to make our final
18 point we respectfully ask you to return to the platform
19 where we can show you the last point.

20 (Pause.)

21 MS. RENFROE: Thank you very much for walking over
22 here with me. If you have this legend handy, I would like
23 to draw your attention to it as I talk about the fifth
24 point as too why Shushufindi-34 illustrates our position
25 that the Judgment is a denial of justice. And, to

12:15 1 understand the legend, we are standing now in front of two
 2 different types of pennant flagging, and I told you
 3 earlier, and I'm going to show you now, the yellow with the
 4 NFA is the water pit that you see right here that was not
 5 assigned to TexPet for remediation work. But ultimately
 6 Petroecuador remediated it in 2006 and 2007, as I showed I
 7 earlier. But you can see, with the yellow flagging, the
 8 size of that pit had been remediated.

9 Now, I want to clarify a misunderstanding by
 10 Mr. Ewing. He suggested that the black-and-white checkered
 11 pennant flagging would indicate the location of another
 12 pit. That's completely wrong. The black-and-white pennant
 13 flagging represents Petroecuador's planned expansion of the
 14 platform and its intention to drill five new wells. You're
 15 actually sitting on the platform, on the old platform.
 16 It's been cleared for purposes of this Site Visit, and
 17 Mr. Ewing pointed out the old wellhead over there.

18 But when you look at your mini-packets, Page 35
 19 and 36, and 37, I am going to show you where Petroecuador
 20 intends to drill to expand this platform and drill five new
 21 wells. So, if you can relate the pennant flagging and then
 22 turn to Page 37 of your mini-packet--actually it's Page 36,
 23 37, and 38--and it looks like this. And, if you turn to
 24 the next page, to Page 38, you will see we've highlighted
 25 in yellow in the mini-packet, and then we highlighted in

12:18 1 responsibility under the Remedial Action Plan to remediate
 2 Consortium impacts that were not expressly assigned to
 3 TexPet, and that's why they remediated this pit here and
 4 another pit approximately right here, approximately behind
 5 the restrooms.

6 So, the reason that I thought it was important for
 7 you to see what Petroecuador has done and intends to do is
 8 to make our point that this is not a TexPet-only site.
 9 It's inappropriate and it simply ignores the facts to say
 10 that oil-production activities are the only activities that
 11 can impact a site. That's not true.

12 And to illustrate this even further, we don't have
 13 the records of how Petroecuador remediated this yellow pit
 14 here. They haven't produced those records to us. We don't
 15 know exactly what remediation standard they used. But we
 16 do know from the PEPDA Program records that they followed
 17 Decree 1215. The Judgment, on the other hand, as I
 18 explained earlier, requires remediation to 100 parts per
 19 million. That's not what Decree 1215 does.

20 And so, to the extent that Petroecuador remediated
 21 this pit and the pit on the other side of the platform in
 22 accordance with Decree 1215, as I expect they did because
 23 that's their practice, then the Judgment holds TexPet
 24 responsible for degrees of TPH, or Total Petroleum
 25 Hydrocarbons, left in these pits that was the actions of

12:16 1 black--actually it's Petroecuador who has highlighted it in
 2 black. This is where they planned to expand this platform
 3 and drill five new wells. And that area is very large and
 4 we couldn't pennant flag all of it, but you see how far it
 5 goes this side and it goes deep into the jungle in that
 6 direction. Tab 22 in the large packet and Page 38 in
 7 mini-packet.

8 Now, what does this matter and how is this
 9 relevant? Well, as we told you at the Hearing and has been
 10 discussed in a number of our Expert Reports, and is my
 11 fifth reason for why this site illustrates that the
 12 Judgment is untethered to the facts and that is that the
 13 Judgment completely ignores the role and responsibilities
 14 of Petroecuador. And so we know, we heard Mr. Ewing say
 15 earlier that this is a TexPet-only site. That's not true.
 16 While it may be true that the Consortium is the only
 17 company so far that has produced oil at this site, since
 18 1990, Petroecuador has had sole control of this site, of
 19 this platform, and it has come on to the platform and
 20 remediated two pits and done who knows what else. We don't
 21 know, and I'm not here to suggest what they have or haven't
 22 done, but I do know they've remediated two pits and we do
 23 know from their own records that they intend to expand this
 24 platform and drill five new wells.

25 And we do know that they at least recognize their

12:19 1 Petroecuador, perfectly appropriate at the time. But it
 2 illustrates the point, I hope, that the Judgment is seeking
 3 to hold Chevron and TexPet responsible for actions, even
 4 remediation actions, taken by Petroecuador. So, that's why
 5 this characterization of the site as TexPet only because
 6 TexPet is the only company that produced oil, it simply
 7 misses the facts and it misses the point.

8 So, I'd say that this site provides us an
 9 excellent reality check on the fact that the Judgment is
 10 not based on facts. It's not based on the legitimate facts
 11 in the record. It's not based on the legitimate data. As
 12 we said earlier, there was no JI data from this site that
 13 the Judgment could have relied upon.

14 And, when you do consider the data at this site,
 15 which, according to Mr. Ewing says is fairly typical--he
 16 says this site is fairly typical of the rest of the sites
 17 in the area--that's up for you to decide or to conclude.
 18 But, if indeed this site is typical, then it makes our
 19 point even more that the Judgment is a denial of justice
 20 because there simply is no environmental catastrophe at
 21 this site. There is no widespread contamination here.
 22 There is, as we have said, limited impacts that are solely
 23 the responsibility of Petroecuador to remediate according
 24 to the Parties' Contract and the releases executed by the
 25 Republic of Ecuador and Petroecuador. And, with that, I

12:21 1 will submit, unless there are any questions.
 2 PRESIDENT VEEDER: We have no questions. Thank
 3 you very much.
 4 MS. RENFROE: Thank you.
 5 MR. EWING: Members of the Tribunal, unfortunately
 6 I'm going to ask you to walk back over to the pit. We're
 7 going to the closer corner of the pit this time, so we will
 8 try and wrap things up there.
 9 PRESIDENT VEEDER: Okay.
 10 MR. EWING: So we should have a tent and seats for
 11 you set up again, and we'll meet you there.
 12 (Pause.)
 13 REBUTTAL ARGUMENT BY COUNSEL FOR RESPONDENT
 14 MR. EWING: Members of the Tribunal, I want to
 15 briefly wrap up our rebuttal here. We have 30 minutes.
 16 Hopefully, we can get this done maybe even quicker than
 17 that and get out of the heat.
 18 The simplest reason I didn't address many of the
 19 points that Ms. Renfro brought up is that that's not
 20 actually why we brought you here, to talk about the
 21 regulations and what you can read in a book. We brought
 22 you here to show you this. But I want to address some of
 23 the points quickly while we're standing here since they
 24 have been brought up.
 25 One of the significant points that I think

12:28 1 the soil is contaminated and visually contaminated when
 2 they did the boring. And, as you can see, the bottoms of
 3 the boring and the bottoms of the visual contamination are
 4 the same. They never found the bottom of the contaminated
 5 soil. So we don't really know where the bottom of this pit
 6 is.
 7 So, the bounds of this problem are not known
 8 horizontally. They're not known vertically. So we don't
 9 know really what the extent of the problem is here, even
 10 just looking at the soil.
 11 And they mentioned--I mean Ms. Renfro mentioned
 12 the 2-kilometer rings and that there are approximately, I
 13 think, ten other wells in this region. I'm not sure
 14 exactly why that's relevant other than to potentially imply
 15 that those other wells may be affecting this area or
 16 somehow contaminating the area around. But I did not think
 17 that Claimants' position was that every well has a
 18 2-kilometer radius of influence; but, if it were two, we
 19 actually did some calculation, and that would be 987 square
 20 kilometers, which is approximately three times larger than
 21 the Kuwaiti oil spill, if we consider every well to have a
 22 2-kilometer radius impact. We don't that it's a
 23 2-kilometer radius impact, but that seems to be what's the
 24 implication of those 2-kilometer rings that you have in
 25 your binders.

12:27 1 Mr. Connor said is that this pit does not demonstrate or
 2 support the Judgment dollar amount, the \$6 billion amount.
 3 And by no means are way saying that this pit should cost
 4 \$6 billion to clean up, but here is the fundamental problem
 5 here.
 6 The Judgment looked at all of Oriente, which is
 7 all of the sites that it had seen, the sites that are in
 8 the record, the documents in the record, and it tried to
 9 determine what an average pit size was. It never
 10 identified individual pits and set individual sizes of what
 11 need to be cleaned up. So, it tried to find an average.
 12 And, as Dr. Garvey had mentioned with the height analysis,
 13 if we took this crowd and we randomly selected people, if
 14 we selected, for instance, Ms. Silver, my colleague, we
 15 might think the crowd is approximately 5'5"; or if we
 16 selected Eric Bloom, we might think the crowd is 5'5"; but
 17 if we instead selected myself or Dr. Garvey or any of the
 18 rest of us who are a little taller, you might think the
 19 crowd is 6'2". None of those will give you the average.
 20 This pit will not give you the average pit and is not going
 21 to. It, in and of itself, doesn't prove or disprove the
 22 Judgment. It's an average amount.
 23 And one further point on that is if you look again
 24 at this cross-section from LBG, when they did their boring
 25 holes, the dark outlining around the boring indicates that

12:30 1 Let me touch quickly on the RAP. We heard a lot
 2 about the RAP this afternoon or this morning. In the
 3 introduction to the RAP--and this is Exhibit
 4 R-610--Woodward-Clyde, a Texaco contractor, was the sole
 5 identifier of pits that were to be included in the RAP.
 6 During the environmental audits for this site, only one pit
 7 was identified for inclusion in the RAP, and that is the
 8 pit we were just sitting in front of. That was marked as
 9 NFA. But that was the only pit that was identified here.
 10 We know that there are at least three. Ms. Renfro says
 11 that my calculation of four is false. I'm not quite sure
 12 since we know there are at least three--and I said there
 13 may be a fourth--three or four, whichever way it goes.
 14 There were two pits here that were not included in the RAP.
 15 So 30 percent success rate it seems that Woodward-Clyde had
 16 here.
 17 So, even in that pit that was marked as NFA, as a
 18 water pit, Woodward-Clyde, TexPet's contractor for the RAP,
 19 noted there was s half a meter of--I'm not very good at
 20 estimating meters but half a meter of sludge, of oil at the
 21 bottom of that pit, and that's potentially the oil that was
 22 originally put in there when we showed you the aerial
 23 image. It was black and then it had been filled with water
 24 on top, and that oil had sort of formed a sludge at the
 25 bottom. That pit has been remediated by Petroecuador, but

12:31 1 that's irrelevant to this pit or potentially even another
 2 pit.
 3 But, at the end of the day, Claimants' defense for
 4 the RAP doesn't work in Track 2. Claimants' attempt to
 5 conflate the Parties, which is the first problem, to say
 6 that the Lago Agrio Plaintiffs and the Republic of Ecuador
 7 are the same. We aren't. We've made that point over and
 8 over, and I won't belabor it any further. But when we are
 9 in Track 2, and the reason why we're here in Track 2 is
 10 because the Claimants said in their denial-of-justice case
 11 when they added the denial-of-justice claims that the
 12 Judgment itself was a factual absurdity, that it did not
 13 support the Court's factual finding, and that if you came
 14 to the Oriente, that finding that there is environmental
 15 contamination is a factual absurdity.
 16 So, we brought you here to demonstrate that point,
 17 that is not a factual absurdity. But, more fundamentally,
 18 Claimants can't use Track 1 as a shield to protect them to
 19 a finding that there is TexPet contamination in the region
 20 that continues to pose a very real and present health risk
 21 to the residents now that we are in Track 2 addressing the
 22 factual questions of the Judgment.
 23 And, secondly, the Court, the Lago Agrio Court,
 24 had before it a case by local residents, people who lived
 25 in the area, and Chevron. Those local residents, the

12:33 1 people who live around these well sites had a legal right,
 2 as this Tribunal found in its Track 1(b) Decision, to seek
 3 relief from either tortfeasor, any tortfeasor responsible
 4 for the conditions that threatened them harm, subject only
 5 to the right of the Defendant to seek contribution from the
 6 other tortfeasor. So, the Lago Plaintiffs were entitled to
 7 bring their claim against Chevron. There's really, at the
 8 end of the day, a fundamental problem with reliance on the
 9 RAP as a defense.
 10 Quickly, I want to address two points that were
 11 covered in Dr. Strauss's Expert Report and one from Dr.
 12 Short's Expert Report. Dr. Strauss is here, but I will
 13 speak for her for a moment.
 14 Dr. McHugh mentioned that the residents here use
 15 rainwater for their water supply. That's true. Dr,
 16 Strauss actually interviewed them, but, first, that's not
 17 very effective in the dry season. It doesn't rain as much
 18 for periods of time here. That may not be easy to believe,
 19 but it doesn't rain here. And, as a result, the residents
 20 told Dr. Strauss that they are forced to buy water to
 21 supplement their water supply.
 22 It's our position that the residents that live
 23 around these wells should not be obligated to go out of
 24 their way to not be able to use a local resource such as
 25 putting in a groundwater well here, which they could not do

12:34 1 as it's not safe.
 2 Another point to clarify, Dr. Strauss didn't use
 3 the highest number in here. She actually used the median
 4 value. If she had used the highest, her health-risk
 5 assessments would have been even worse. If she had used
 6 the average even, it would have been significantly worse.
 7 So, she used a median value in here, just to clarify a
 8 simple point.
 9 I think it was Mr. Connor who addressed this soil
 10 sample over here, SL-00-8, and reference to Dr. Douglas's
 11 analysis that that is natural organic material, or NOM.
 12 Dr. Short, who the Claimants did not call at the Hearing,
 13 would have testified, and did testify in his Report, that
 14 when he analyzed that chromatogram, it is not natural
 15 organic material. Instead it seems to be some kind of
 16 petroleum-based chemical but not a natural--it's not from
 17 the plants is what Dr. Short came to a final conclusion of.
 18 And before I turn the floor back to Dr. Garvey to
 19 conclude for us--or to conclude his portion of this, we
 20 just spent some time sitting in front of some checkered
 21 flags, which, in your map show an alleged plan,
 22 Petroecuador's plan to expand this platform. I would
 23 submit to you that's absolutely irrelevant to what we're
 24 looking at here because what is going to happen in the
 25 future and may happen in the future is irrelevant to what

12:36 1 the Judgment found exists here and is currently a problem
 2 for these people.
 3 And maybe more fundamentally, in terms of what was
 4 represented, the Judgment did not ignore the RAP or did not
 5 ignore Petroecuador's responsibility in any of the
 6 contamination that may exist here. Instead, where
 7 possible, the Judgment tried to allocate responsibility
 8 between the two Parties. It's difficult in a pit where
 9 both Parties dump oil into something or where a pit remains
 10 open for a period of time, but the Judgment did attempt to
 11 distinguish between those two.
 12 And again, this is why legal systems around the
 13 world had developed joint and several liability, so that
 14 the Plaintiff does not have to make its own determination
 15 of who would be liable, but the Defendants can then fight
 16 that out in subsequent types of actions.
 17 So, with that, I would like to turn the floor
 18 briefly to Dr. Garvey, and then I will wrap up for about 30
 19 seconds after that.
 20 DR. GARVEY: Thank you for looking at me again.
 21 A couple of points I wanted to make about the
 22 nature of groundwater here and contamination in this site.
 23 As Greg indicated here, these borings in the middle of a
 24 pit here did not hit the bottom of contamination. We have
 25 no idea how deep this pit is with respect to the

12:38 1 contamination it contains. We have, in fact, seen in other
 2 areas contamination down 4 meters below grade in pits like
 3 this. So, while we have measured it down as far as
 4 1.8 meters, we really don't know how far down it goes. So
 5 this is a sketch--that's why that dashed line has question
 6 marks in it. It's really just speculation at to what
 7 this...

8 And why is that important? Well, if you note
 9 here, this is the indication, this green line here on my
 10 chart here, is the indication of the water table. The
 11 water table is what? Water table is the level in the
 12 ground where all the airspace has been displaced by water.
 13 The soil is completely saturated with water; okay?

14 This water table isn't constant. It varies
 15 seasonally in response to rainfall, the amount of
 16 percolation that can occur and the like. This map is based
 17 on our conditions as we measured them in June 2014. This
 18 water table is approximately 5 meters below grade drawn
 19 here.

20 We were here last week, and we took some
 21 measurements. We measured all the well heights and water
 22 heights in these wells. We found that the water table had
 23 come up 2 meters in response to--in response to different
 24 weather--rainfall amounts, so the water table is
 25 significantly higher, most likely in contact with the

12:39 1 bot--really closely in contact with these cores or the
 2 materials that they represent, perhaps little more than a
 3 half-a-meter separation between what we measured in terms
 4 of the cores and the top of the water table. It's getting
 5 quite close, and again, we don't know how far down it is.

6 Why is that relevant? Well, in June 2014 we
 7 measured the soil gases with that PID instrument on top of
 8 all these four wellheads here, and we got basically
 9 non-detect readings in all four of them. We come back last
 10 week and we measured the 4 of them again, but this one in
 11 particular, instead of giving us non-detects on the PID, it
 12 was 200 PPM. So, it beeped as loudly as it did when we
 13 placed it over the oil sample that we saw, that we dug up
 14 for you a few minutes ago because of the--we've seen here
 15 in this well--now soil gases representing oil contamination
 16 as high as 200 parts per million arising in this wellhead.
 17 We measured that last week. We measured it yesterday. It
 18 was 100 parts per million. We measured it today, this
 19 turns out zero, okay? It varies. Why? Because the water
 20 table varies, because conditions vary. It's a mistake to
 21 think that we can characterize everything in a snapshot and
 22 say we know where all the problems are, we know where
 23 everything is going.

24 With a pit like this, groundwater flow is going to
 25 move away radially, not just downstream, because it

12:40 1 represents a catchment. It feeds the groundwater. It's
 2 going to feed flow underneath the groundwater, underneath
 3 the soil because it catches water and forces it in as
 4 opposed to being able to run off elsewhere. There is no
 5 place for the water to escape that bowl effect. It has to
 6 circulate downward. This well, and the fact that we've
 7 gotten as high as 200 parts per million in soil gas, is
 8 indicative of the fact that some of the groundwater has
 9 moved this way, not just down that way.

10 So, our point is not simply that we know it always
 11 goes this way or we know it always goes that way, but we
 12 know that things change, and to be able to say that we
 13 don't have a problem here at all when we can get 200 parts
 14 per million out of this wellhead is really misreading.

15 Okay. I want to make a short statement about
 16 Method 8015 versus our Method TEM.

17 We can go back and forth as to the different local
 18 issues, but suffice it to say, 8015, when concentrations
 19 get high, is truly biased low. If you take a pure oil
 20 sample and you analyze it by Method 8015 or you analyze it
 21 by Method TEM, if you have a hundred percent oil, you'll
 22 get a hundred percent by TEM. You only get 50 percent by
 23 Method 8015, so it clearly misses a portion of spectrum,
 24 and recognizing that, basically both methods are useful.

25 Okay, to understand what's going on, 8015 can

12:41 1 avoid some of the plant materials that we'd pick up with
 2 TEM. We also know that 8015 is biased low because it
 3 doesn't pick up all of the petroleum hydrocarbons. So you
 4 kind of have to blend the two of them to understand what's
 5 going on. You can't just say I can rely on 8015. You have
 6 to recognize--that's why we did in our inventories, we used
 7 all three metrics that we have. If they're up, you use
 8 this method, you get this much. If you use another
 9 method--if there's something between, use TEM, you have
 10 3.5 million-barrels of oil. Our understanding is
 11 just--it's somewhere in there. We think it's toward the
 12 higher end because we think that the TEM Method is
 13 capturing a majority of the oil when the concentrations are
 14 high.

15 I think that's everything I wanted to conclude.

16 Oh, sorry. Yes, there was one other point. All
 17 right. Okay.

18 When we've studied this area, we installed these
 19 groundwater wells, in addition to just installing the
 20 wells, we conducted what's called a "hydraulic
 21 conductivity" test, basically the ability of water to move
 22 through this formation, so you can take a specimen of soil,
 23 hold it in your hand and say, I think it's pretty tight or
 24 I think it's going to be--I think water is going to go
 25 through easily or it's not. The real proof of the pudding,

12:42 1 so to speak, is when you take a well like this and you test
2 the system in place--so you put water in the well, you
3 watch how fast it drops, you take water out of the well,
4 you watch how fast it still drops--those are different ways
5 to tell how well the formation passes water.

6 It turns out with the wells that we have here, the
7 formation passes water as if were a silt to a silty sand to
8 even a sand. Okay? That it's not a tight clay. And just
9 to illustrate what that means in terms of soil, this I'm
10 going to show you here are some--this is a boring we just
11 did a while ago here from the berm. Okay? This material
12 here is the type of soil material here. It has silt. It
13 has clay. It has sand in it, but it's not a very tight
14 formation. While I can do that to it (gesturing), I can't
15 do the classic test of rolling this material up into a nice
16 little ribbon to indicate that it's a very clay-rich soil.
17 It does have some in it, but it's not sufficiently tight to
18 prevent water from moving through it. And the case in
19 point, as I said, is the fact that we did the groundwater
20 tests.

21 Now, to contrast that, we have a couple of samples
22 here that we collected in Aguatico-06. Okay? These are
23 clay-rich samples, very, very clay-rich soil. And if I
24 roll these into a ribbon, these will form the classic clay
25 test. Shane is better at this than me, if you want to take

12:44 1 a piece of it. But, suffice it to say, this material,
2 which we'll see some of tomorrow, is the type of soil that
3 will bind and prevent water from moving through it. Okay?
4 That's not what we have here. As I said have, our
5 groundwater tests of the percolation to exactly document
6 that--there we go.

7 MR. McDONALD: This is the actual ASTM method? I
8 don't know. Elasticity in the--thank you.

9 DR. GARVEY: Okay. Anyway, I'm the geothermosist
10 and geologist.

11 Okay. Anyway with that--sorry. Is there anything
12 else?

13 (Discussion off microphone.)

14 DR. GARVEY: All right. I will turn the floor
15 back over to my colleague.

16 MR. EWING: Just to briefly tie this all up and
17 then we can--we have lunch waiting for us and then we can
18 head back to the hotel for showers and whatnot. This will
19 be brief.

20 I've got four main conclusions that I think we
21 will come back to at each of the sites.

22 One: We know the contamination exists. The
23 number of pits at every site may not be known. We happened
24 to find this one because the farmer cleared it and made it
25 accessible to us. There may be another one in the woods

12:45 1 that we haven't been able to identify. There are
2 three--there may be four pits at the site. We don't know
3 exactly how many pits there are.

4 And it will take further investigation really to
5 understand the contamination here. As Dr. Garvey said,
6 these--contamination at these sites are very seasonal. It
7 changes with the rain. Every time I think I've been to
8 these sites, they are all different. Even in the last
9 week, the amount of water that's on the path, on the road
10 changes dramatically. The farmer has cleared this field,
11 looks like a week or two ago before we were here. You
12 know, it changes. These sites are always constantly
13 changing, so it takes further investigation really to
14 understand what needs to be done here.

15 And this is why we asked you to come because
16 showing you videos or pictures, I don't think you can
17 really understand or capture what we really have present
18 here and how close in proximity people live and work on a
19 daily basis with the contamination that TexPet left in the
20 Oriente.

21 And second conclusion is that the contamination
22 we're looking at is attributable to TexPet. No one
23 disagrees with that. It was in aerial photos. It was
24 placed here at least as early or as last as 1975, and there
25 is no indication that anyone else has ever used this pit.

12:47 1 In fact, by 1985 it was covered by the jungle.

2 Third, we have exposure to people. We have
3 exposure in the pit. I wouldn't want to play in there and
4 I definitely would not let my girls play in there, and that
5 is something that the people around here deal with on a
6 daily basis. And even if that is the only exposure here,
7 even if this pit is the only opportunity for people to be
8 exposed to TexPet's oil, they should not have to avoid
9 using their own land. He should not have to avoid planting
10 his cocoa plants in this area. He should not have to kept
11 his kids out these areas. And you will see at the
12 different sites, these are--pits and locations are on
13 private land and they should not be forced to avoid those
14 areas to avoid health risks.

15 But we know that that is not the only exposure
16 pathway. We know that these wells are contaminated. As
17 Dr. Garvey said, they vary. This one any be higher today
18 and that one may be higher tomorrow. The water level and
19 the way that contamination is going, it changes. So, we
20 know that there is exposure in the groundwater, so we have
21 two exposures here.

22 And then the fourth conclusion, that this site
23 allows us to make is that Chevron makes significant
24 misstatements about the conditions in the Oriente. One,
25 they say that--they assert that there is no movement of

12:48 1 contamination, but we know that we found oil contamination
2 in these monitoring wells. It has varying levels, of
3 course, and it has various degrees of contamination. But
4 there is no doubt that there is oil contamination outside
5 of the pit. Dr. Connor said look how far this has gone.
6 It's only 6 meters.

7 We don't know how far it has gone is really where
8 this comes down to. We know this point here and we know
9 that point there. We don't know how far it goes that way
10 or that way or that way. So, we just don't know the extent
11 of the problem here.

12 And, secondly, and this is sort of a problem in
13 terms of the drinking water, they assert that it doesn't
14 affect the drinking water, that the contamination doesn't
15 get into the groundwater. But these wells again have shown
16 that there is oil contamination in the groundwater. It's
17 not just in the soil. It's not just in the pits.

18 And why is Chevron so emphatic that the oil
19 contamination is contained in these pits? Well, I think
20 they're so emphatic because if they can contain the
21 contamination inside of the pits, it's more limited
22 exposure, but once it gets into the groundwater, we don't
23 know how far it goes.

24 I mentioned at the beginning that this is a simple
25 site, and you'll notice that there aren't really any

12:49 1 streams nearby. There is one we think over that way in the
2 woods or the jungle. We hiked through there and couldn't
3 find it, but really there is not a stream close to this.
4 The rest of the sites that we're going to have streams and
5 sedimentary contamination. And, as Dr. Hinchee testified,
6 once the contamination get into a stream, it can go for who
7 knows how far.

8 So, we're looking here at a simple site, where
9 we're just looking at soil and groundwater, and you can see
10 that there's a problem with movement. When we get to these
11 other sites that have rivers and sediment, it's just even a
12 more significant problem.

13 So, with that, I think I will wrap up, unless you
14 have any questions.

15 PRESIDENT VEEDER: Nothing here.

16 MS. RENFROE: Mr. President, I have--are you
17 finished, Mr. Ewing?

18 MR. EWING: I have a procedural question that we
19 need to hopefully address relatively quickly.

20 MS. RENFROE: And I have a procedural objection.

21 PRESIDENT VEEDER: Why don't you start with your
22 request first and then we'll hear your objection.

23 MR. EWING: I had worked around these sites with
24 members of Claimants' team on a couple of different days,
25 and we had discussed trading off so that we would all do

12:51 1 our presentations on the platform, we would all do our
2 presentations at the pit, we would all do our presentations
3 here, and we'd all do our presentations here, to minimize
4 the back and forth. And this is a flat site, so it's not a
5 big deal today to have done it the way we sort of
6 originally envisioned. But at a couple--at the rest of the
7 sites, the walking distances are further and much more
8 strenuous, and we would be happy to make life easier for
9 you and sort of do everything up on the platform, do
10 everything down the hill, do everything at the next
11 location and sort of work together on that. But Claimants
12 said this morning they can't tell us which sites they want
13 to do separately, like we did today, or which ones they
14 want to do sort of a chess clock approach. So, we just ask
15 that we know. We can work with either way, but if we could
16 have an agreement about making life easier for everyone, I
17 think we would all benefit. But we need to know either one
18 way or the other.

19 PRESIDENT VEEDER: Okay. And the objection?

20 MS. RENFROE: So, the objection, Members of the
21 Tribunal, it goes back to the Protocol. In the Protocol,
22 we said that the only thing that could be discussed in the
23 Site Packets and during these presentations was limited to
24 evidence in the record.

25 Now, Dr. Garvey just a few minutes ago talked

12:52 1 about new measurements of soil-gas and groundwater levels.
2 That was the first I'd heard about those. I don't think
3 they matter very much, but I certainly do not want us going
4 forward to have a precedent that we deviate from the
5 Protocol and have either witness or lawyers talking about
6 materials that are not in the BIT record.

7 So, I would take exception and place an objection
8 for the record to the new measurements that he talked
9 about, but more importantly I want to urge that counsel and
10 witnesses confine themselves to what is in the BIT record
11 as the Protocol requires.

12 PRESIDENT VEEDER: Let's sort that out. Was that
13 already in the record?

14 MR. EWING: The PID measurements that we took
15 today that--Dr. Garvey--obviously could not have been in
16 the record, but we have taken PID measurements here before
17 and have given those results before.

18 And maybe to clarify what the Protocol says, the
19 Protocol says we are not to take samples for laboratory
20 analysis. If you would rather, we can just show you the
21 oil and let you smell it. My understanding is your nose is
22 just as able to detect petroleum as a PID. It won't give
23 you a real number, but, of course, the numbers we look at
24 today are not in the record. Anything you look at is not
25 in the record already, so...

12:53 1 MS. RENFROE: With all due respect to Mr. Ewing,
 2 he missed my point. Let me clarify. My point is that Dr.
 3 Garvey talked about groundwater measurements that he took
 4 two weeks ago and soil-gas survey updated results. We
 5 haven't seen that. They're not in the BIT record. That's
 6 what I was talking about. The Protocol does permit
 7 auguring, which is what both Parties did today--it permits
 8 that--but what it doesn't permit is reference and
 9 discussion about new sample results and groundwater
 10 measurements such as what Dr. Garvey said, so it's not in
 11 the BIT record.
 12 (Pause.)
 13 PRESIDENT VEEDER: Okay. Don't deal with events
 14 today because that's, I think, not the problem. We're
 15 dealing with the Dr. Garvey data of two weeks ago.
 16 MR. EWING: So maybe--I think Dr. Garvey was just
 17 trying to provide--you know, this is what we looked at two
 18 weeks ago. We can avoid talking about what we saw a week
 19 ago, which is, I think, the only new datapoint that he
 20 mentioned today. And the soil-gas survey is what they did
 21 originally and then that is in the record. So, there has
 22 been no different results other than Dr. Garvey did a PID
 23 test here a week ago, but we can avoid talking about that
 24 if that would make things easier.
 25 PRESIDENT VEEDER: I think it would.


12:56 1 MR. EWING: After lunch? Perfect. I like the
 2 timeframe.
 3 (Whereupon, at 12:56 p.m., the Shushufindi-34 Site
 4 Visit was concluded.)
 5
 6
 7
 8
 9
 10
 11
 12
 13
 14
 15
 16
 17
 18
 19
 20
 21
 22
 23
 24
 25

12:55 1 That solves your problem?
 2 MS. RENFROE: It does solve that problem, and then
 3 I have just one last point--again a caution. We all had to
 4 identify our presenter, our experts and lawyers. And
 5 again, with all due respect to Mr. Ewing and Dr. Strauss,
 6 Ecuador chose not to identify Dr. Strauss as a presenter,
 7 and so it would be inappropriate and it would be a
 8 deviation from the Protocol if she is called upon to
 9 present or answer questions.
 10 PRESIDENT VEEDER: I think you speak for Dr.
 11 Strauss, don't you?
 12 MR. EWING: I said that very explicitly.
 13 PRESIDENT VEEDER: We haven't seen her yet.
 14 MS. RENFROE: Okay.
 15 PRESIDENT VEEDER: If there is a problem we will
 16 come to it.
 17 Anything else?
 18 MS. RENFROE: No.
 19 PRESIDENT VEEDER: Well, thank you very much.
 20 Unless you have another point.
 21 MR. EWING: Well, the question is still how are we
 22 dealing with tomorrow? Are we doing--
 23 PRESIDENT VEEDER: You're going to talk to your
 24 colleague, and you will tell us the happy agreement which
 25 you have reached after lunch.

CERTIFICATE OF REPORTER

I, David A. Kasdan, RDR-CRR, Court Reporter, do hereby certify that the foregoing proceedings were stenographically recorded by me and thereafter reduced to typewritten form by computer-assisted transcription under my direction and supervision; and that the foregoing transcript is a true and accurate record of the proceedings.

I further certify that I am neither counsel for, related to, nor employed by any of the parties to this action in this proceeding, nor financially or otherwise interested in the outcome of this litigation.


 DAVID A. KASDAN